

In The Matter Of:

THE SCO GROUP, INC., v.
INTERNATIONAL BUSINESS MACHINES CORPORATION

DAVID P. RODGERS

June 10, 2004

LEGALINK MANHATTAN
420 Lexington Avenue - Suite 2108
New York, NY 10170
PH: 212-557-7400 / FAX: 212-692-9171

RODGERS, DAVID P.



DAVID P. RODGERS

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF UTAH

-oO-

THE SCO GROUP, INC.,)
)
Plaintiff/)
Counterdaim-Defendant,)
) Case No.
-against-) 203CV-0294 DAK
)
INTERNATIONAL BUSINESS)
MACHINES CORPORATION,)
)
Defendant/)
Counterdaim-Plaintiff.)

DEPOSITION OF
DAVID P. RODGERS

Thursday, June 10, 2004
Volume 1 (Pages 1 - 216)

REPORTED BY: ANA M. DUB, RMR, CRR, CSR 7445 (03-351091)

Page 3

A P P E A R A N C E S

1
2
3 FOR THE PLAINTIFF AND COUNTERCLAIM DEFENDANT THE SCO
GROUP, INC.:

4
5 BOTES SCHILLER & FLEXNER LLP
BY: MARK J. HEISE, ATTORNEY AT LAW
100 Southeast Second Street, Suite 2800
6 Miami, Florida 33131
Telephone: (305) 539-8400
E-Mail: mhaise@bsflip.com

7
8 and
9 HATCH, JAMES & DODGE, P.C.
BY: MARK F. JAMES, ATTORNEY AT LAW
10 10 West Broadway, Suite 400
Salt Lake City, Utah 84101
Telephone: (801) 363-6363
E-Mail: mjames@hjdllaw.com

11
12
13 FOR THE DEFENDANT AND COUNTERCLAIM PLAINTIFF
INTERNATIONAL BUSINESS MACHINES CORPORATION AND THE
14 DEPONENT:

15 CRAVATH, SWAINE & MOORE LLP
BY: CHRISTOPHER KAO, ATTORNEY AT LAW
16 Worldwide Plaza
825 Eighth Avenue
17 New York, New York 10019-7475
Telephone: (212) 474-1342
E-Mail: ckao@cravath.com

18
19 -oO-

20 ALSO PRESENT:

21 VIDEO SOLUTIONS, A Legalink Company
PATRICK MURRAY, VIDEOGRAPHER
22 50 First Street, Suite 507
San Francisco, California 94105-2415
Telephone: (415) 546-6400

23
24 -oO-

25

Page 2

I N D E X
INDEX OF EXAMINATIONS

	Page
4 EXAMINATION BY MR. KAO	6
5 EXAMINATION BY MR. HEISE	52
6 FURTHER EXAMINATION BY MR. KAO	178
7 FURTHER EXAMINATION BY MR. HEISE	201
8 FURTHER EXAMINATION BY MR. KAO	210
9 FURTHER EXAMINATION BY MR. HEISE	214

10
11

12 DEPOSITION EXHIBITS MARKED FOR IDENTIFICATION

No.	Description	Page
13 100	Declaration of David P. Rodgers	12
14 101	Letter on the Letterhead of AT&T Dated	163
	August 5, 1985 to Sequent Computer Systems, 16 Inc., from AT&T Information Systems	
17 102	Letter on the Letterhead of AT&T Dated	163
	June 24, 1986 to Sequent Computer Systems, 18 Inc., from AT&T Information Systems	
19 103	Letter on the Letterhead of AT&T Dated	163
	July 27, 1987 to Sequent Computer Systems, 20 Inc., from AT&T Information Systems	

21
22
23
24
25

Page 4

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF UTAH

-oO-

4 THE SCO GROUP, INC.,)
)
5 Plaintiff/)
Counterdaim-Defendant,)
6) Case No.
-against-) 203CV-0294 DAK
7)
8 INTERNATIONAL BUSINESS)
MACHINES CORPORATION,)
)
9 Defendant/)
Counterdaim-Plaintiff.)

-oO-

12 BE IT REMEMBERED that, pursuant to Subpoena,
13 and on Thursday, June 10, 2004, commencing at 8:06 a.m.
14 thereof, at the Doubletree Hotel, 2050 Gateway Place,
15 Santa Clara, California, before me, Ana M. Dub, a
16 Certified Shorthand Reporter, Registered Merit Reporter,
17 and Certified Realtime Reporter, personally appeared
18 DAVID P. RODGERS
19

20 called as a witness by the Defendant and Counterdaim
21 Plaintiff International Business Machines Corporation,
22 who, having been first duly sworn, was examined and
23 testified as follows:
24
25

DAVID P. RODGERS

Page 5

1 --p00--
 2 P R O C E E D I N G S
 3 THE VIDEOGRAPHER: Here begins Videotape No. 1
 4 in the deposition of David Rodgers, in the matter of The
 5 SCO Group v. IBM, in U.S. District Court, District of
 6 Utah, Case No. 2:03CV-0294 DAK.
 7 Today's date is June 10th, 2004. The time on
 8 the video monitor is 8:06.
 9 The video operator today is Patrick Murray, a
 10 notary public, contracted by LegaLink New York of
 11 New York, New York.
 12 This video deposition is taking place at 2050
 13 Gateway Place, San Jose, California, and was noticed by
 14 Christopher Kao of Cravath, Swaine & Moore.
 15 Counsel, please voice-identify yourselves and
 16 state whom you represent.
 17 MR. KAO: Chris Kao, with Cravath, Swaine &
 18 Moore LLP, on behalf of defendant IBM and the witness
 19 here today, Mr. Rodgers.
 20 MR. HEISE: Mark Heise, from Boies Schiller,
 21 on behalf of The SCO Group; and here with me today is
 22 Mark James, also on behalf of The SCO Group, from Hatch,
 23 James & Dodge.
 24 THE VIDEOGRAPHER: The court reporter today is
 25 Ana Dub of LegaLink.

Page 6

1 Will the reporter please swear in the witness.
 2 DAVID P. RODGERS,
 3 sworn by the Certified Shorthand Reporter,
 4 testified as follows:
 5 EXAMINATION BY MR. KAO
 6 MR. KAO: Q. Good morning. Can you please
 7 state your full name for the record, Mr. Rodgers.
 8 A. Yes. I'm David Parran Rodgers.
 9 Q. And can you please state your full address.
 10 A. 21359 Toll Gate Road, Saratoga, California.
 11 Q. Can you review your educational history with
 12 me, for the record, after high school?
 13 A. Okay. I attended Carnegie-Mellon University,
 14 Pittsburgh, Pennsylvania. I graduated in 1968 with a
 15 Bachelor of Science in electrical engineering.
 16 Q. Did you do any studies after that?
 17 A. I did an incomplete M.B.A. program at Clark
 18 University in Worcester, Massachusetts.
 19 Q. Now, can you review your -- briefly review
 20 your employment history for me after graduating from
 21 Carnegie-Mellon?
 22 A. Right. I worked for a time for
 23 Carnegie-Mellon University. After Carnegie-Mellon, I
 24 joined Digital Equipment Corporation in Maynard,
 25 Massachusetts. After Digital Equipment, I joined

Page 7

1 Sequent Computer Systems in Portland, Oregon. After
 2 Sequent, Compaq Computer Systems in Houston, Texas.
 3 After Compaq, I joined Brightlink Networks in Sunnyvale,
 4 California. And after Brightlink, IP Unity in Milpitas,
 5 California, where I'm currently employed.
 6 Q. Can you tell me approximately the years that
 7 you were at Digital?
 8 A. I was employed by Digital from 1973 to 1983.
 9 Q. And what years were you employed at Sequent?
 10 A. From 1983 to 1996.
 11 Q. Can you review the positions that you held at
 12 Sequent from 1983 to 1996?
 13 A. Yes. I joined the company as the
 14 vice president of engineering. After vice president of
 15 engineering, I was the chief information officer.
 16 During a posting in France, I was responsible for remote
 17 development sites in Europe and in Japan. And when I
 18 returned to the United States, I was head of the
 19 professional services organization.
 20 Q. While you were the vice president of
 21 engineering, you were based in the --
 22 A. In --
 23 Q. -- United States?
 24 A. -- Portland, Oregon.
 25 Q. And when was your posting overseas?

Page 8

1 A. From 1991 to 1993.
 2 Q. And when you returned in 1993, you were then
 3 in professional services?
 4 A. Yes.
 5 Q. And what responsibilities did you have while
 6 you were in the professional services group?
 7 A. It was principally interacting with customers
 8 and go-to-market partners around solution creation,
 9 systems engineering, helping customers to architect
 10 large-scale enterprise business applications.
 11 Q. And from approximately 1986 -- or excuse me --
 12 1983 to 1991, you were the vice president of
 13 engineering?
 14 A. That's correct.
 15 Q. Can you describe for me the responsibilities
 16 that you had while you were the vice president of
 17 engineering?
 18 A. Right. My -- the product of Sequent at the
 19 time consisted of a hardware platform, an operating
 20 system, and some additional application software to make
 21 that system useful. My responsibilities were to
 22 supervise the hardware development, the software
 23 development, the documentation, and the testing of those
 24 two products.
 25 Q. By the "two products," you mean the

DAVID P. RODGERS

Page 9

Page 11

1 operating --
 2 A. The hardware and the software.
 3 Q. -- system?
 4 A. The operating system and the hardware.
 5 Q. Okay. And sorry. Maybe I'm getting confused.
 6 I think you mentioned that there was a hardware
 7 platform, operating system software, and then
 8 application software.
 9 A. Right.
 10 Q. So as the vice president of engineering, you
 11 were responsible for what with respect to those three
 12 categories?
 13 A. I supervised the individuals doing the work.
 14 Q. After leaving Sequent in 1996, I believe you
 15 said you went to Compaq.
 16 A. That's correct.
 17 Q. How many years were you employed at Compaq?
 18 A. Approximately three years. Two of the years I
 19 was posted in Houston, and the third year I was posted
 20 in California, Cupertino.
 21 Q. And can you briefly describe for me what your
 22 responsibilities were at Compaq?
 23 A. Right. I joined Compaq as vice president of
 24 business applications, which was both an engineering and
 25 a marketing responsibility that comprised relationships

1 Q. Forgive me. I think I skipped over this
 2 earlier, but of course, at any time during this
 3 deposition if you need to take a break, just let me know
 4 and we'll take a break. And if I ask you any questions
 5 that you don't understand, let me know and I'll try to
 6 rephrase so you understand what I'm asking.
 7 I guess I should also ask if you've ever been
 8 deposed before.
 9 A. Yes, I have.
 10 Q. Can you tell me in what circumstance you were
 11 deposed before?
 12 A. I was a party in an automobile accident case,
 13 and I gave my deposition as a result of that suit, and
 14 the case was eventually settled.
 15 Q. You did not end up testifying at trial in that
 16 case?
 17 A. I did not.
 18 Q. And how long ago was that?
 19 A. It was in -- I don't remember the date of the
 20 deposition, but it was in 2001 that the accident took
 21 place.
 22 Q. Did that accident occur around here?
 23 A. It occurred very near my home.
 24 MR. KAO: Okay. For the record, at the
 25 Frasure deposition, I screwed up and we didn't use

Page 10

Page 12

1 with key application providers like SAP, Baan,
 2 PeopleSoft, Oracle, Microsoft, and some others.
 3 And the engineering component of that job was
 4 to create configuration tools and go-to-market aids for
 5 the Compaq indirect sales channel.
 6 Q. And approximately what year did you leave
 7 Compaq?
 8 A. It was in 1999, right at the end.
 9 Q. And you went to Brightlink Networks?
 10 A. I went to Brightlink Networks, yes.
 11 Q. How long were you at Brightlink?
 12 A. About two years. The company ceased
 13 operations.
 14 Q. In approximately 2001?
 15 A. It ceased operations in, I think, April of
 16 2001. Might have been a little later. The winding down
 17 took some time.
 18 Q. And after that, you went to IP Unity?
 19 A. Yes.
 20 Q. And what is it you currently do at IP Unity?
 21 A. I'm responsible for hardware and software
 22 development of an enhanced services product for
 23 telephony; "enhanced services" meaning voice mail,
 24 conferencing, other applications such as find-me,
 25 follow-me, caller screening.

1 consecutive numbering; but at -- my understanding is
 2 today at the Wilson deposition, they're going to pick up
 3 where Sontag left off --
 4 MR. HEISE: Okay.
 5 MR. KAO: -- which I believe was 74. So
 6 they're going to start with 75.
 7 MR. HEISE: Okay.
 8 MR. KAO: So I'm going to start -- we'll just
 9 have this marked as 100. That should give enough
 10 space --
 11 MR. HEISE: That's fine.
 12 MR. KAO: -- I think.
 13 And I'm sorry about the --
 14 MR. HEISE: We knew it was going to happen.
 15 It was just a matter of when.
 16 MR. KAO: So this will be Exhibit 100.
 17 (Whereupon, Defendant's Exhibit 100 was
 18 marked for identification.)
 19 MR. KAO: Q. You've been handed by the court
 20 reporter, Mr. Rodgers, what's been marked as Exhibit 100
 21 in this case. And I'll ask you to review this exhibit,
 22 and my first question, after you've had a chance to
 23 review it, is whether or not you recognize what
 24 Exhibit 100 is.
 25 A. Yes. This is my deposition, prepared last

DAVID P. RODGERS

Page 13

1 year.

2 Q. Declaration?

3 A. I'm sorry. Declaration. Sorry.

4 Q. Just to clarify, have you been deposed in this

5 case --

6 A. I have not been deposed --

7 Q. -- apart from today?

8 A. -- In this case before today. I'm sorry.

9 Q. And if you look at page 6 of this declaration,

10 is that your signature, Mr. Rodgers?

11 A. Yes, it is.

12 Q. Now, without -- as your counsel, I instruct

13 you not to reveal any communications you had -- direct

14 communications you've had with me. But without doing

15 so, can you describe how it is that this declaration,

16 Exhibit 100, came to be prepared?

17 A. Certainly. I was contacted by your office, I

18 think by you personally, to ask if I recalled the fact

19 situation around some contracts between AT&T and

20 Sequent. And after some discussion and some question

21 and answer, a draft declaration was prepared by your

22 offices. I received that draft, edited it, corrected

23 it, made it conform to my recollection. And then a

24 final form was prepared for my signature. I executed it

25 and returned it to you.

Page 14

1 Q. Do you have in your possession any of the

2 markups that you did --

3 A. I do not.

4 Q. -- on the draft?

5 A. I do not.

6 Q. I'll ask you to take your time to review each

7 of the paragraphs in your declaration, and after you've

8 done so, can you let me know?

9 A. Certainly,

10 I'm ready.

11 Q. Do you believe everything that you've stated

12 in your declaration to be true and accurate --

13 A. Yes.

14 Q. -- to the best of your knowledge?

15 Is there anything about -- anything in this

16 declaration that you wish to change?

17 A. No. It's an accurate statement.

18 Q. Okay. Now, turning back just to the page

19 that's marked page 2, I'll ask you to look now at some

20 specific paragraphs within your declaration.

21 First, as to paragraph 1, is everything

22 contained in paragraph 1 true and accurate?

23 A. Yes.

24 Q. Okay. Looking at paragraph 2, is everything

25 contained in paragraph 2 true and accurate?

Page 15

1 A. Yes.

2 Q. Now, in paragraph 2 you state that you

3 executed several agreements with AT&T Technologies for

4 the licensing of Unix software. Do you see that?

5 A. Yes.

6 Q. And attached as Exhibit 1 there is a document

7 titled "AT&T Technologies, Inc., Software Agreement."

8 Do you see that?

9 A. Mm-hmm, yes.

10 Q. Can you look at that exhibit? Do you

11 recognize this document?

12 A. Yes, I do.

13 Q. Can you tell me what it is?

14 A. This particular document gives Sequent the

15 right to access the source code for AT&T software and

16 essentially to use it to produce additional works on the

17 Sequent hardware.

18 Q. And do you recall what particular software

19 this software agreement related to?

20 A. It was a version of AT&T System V. I don't

21 actually remember which edition of AT&T System V it was.

22 I think it was 5.2, but I don't recall.

23 Q. Unix System V?

24 A. Unix System V.

25 Q. And at the bottom of the page on this

Page 16

1 agreement, there's a signature there. Is that your

2 signature?

3 A. It is.

4 Q. And you executed this software agreement on

5 behalf of Sequent?

6 A. I did.

7 Q. If you can look at the document behind Tab 2,

8 which is titled "AT&T Technologies, Inc., Sublicensing

9 Agreement."

10 A. Yes.

11 Q. Do you see that?

12 A. Mm-hmm.

13 Q. Do you recognize this agreement?

14 A. Yes, I do.

15 Q. Can you tell me what this agreement is?

16 A. This agreement gives Sequent the right to

17 distribute the work, based on the AT&T System V source

18 code that was previously licensed, to its customers,

19 both directly and indirectly.

20 Q. And at the bottom of the first page, there's a

21 signature there. Is that your signature?

22 A. It is.

23 Q. And did you execute this sublicensing

24 agreement on behalf of Sequent?

25 A. I did.

DAVID P. RODGERS

Page 17

1 Q. And if you can look with me at the document
 2 behind Tab 3, which is titled "AT&T Technologies, Inc.,
 3 Substitution Agreement," do you recognize this
 4 agreement?
 5 A. I do.
 6 Q. Can you tell me what this is?
 7 A. I don't recall the precise terms that were
 8 being modified, but it essentially was an agreement
 9 between the companies to change certain specific terms
 10 of the earlier agreement.
 11 Q. And is that your signature at the bottom of
 12 the page?
 13 A. It is.
 14 Q. And did you execute this agreement on behalf
 15 of Sequent?
 16 A. I did.
 17 Q. And turning back to your declaration itself,
 18 at paragraph 2 of your declaration, are the three
 19 agreements that we just looked at the agreements that
 20 you discuss in paragraph 2 of your declaration?
 21 A. Yes, they are.
 22 Q. Now, if you can turn to page 3 of your
 23 declaration, I'll refer you to paragraph 5; and I'll ask
 24 you, for the record, just to read your statement in
 25 paragraph 5.

Page 18

1 A. Yes.
 2 "Although I did not personally negotiate the
 3 Sequent Agreements with representatives of
 4 AT&T Technologies, I carefully reviewed the
 5 agreements myself and with other Sequent
 6 employees before executing them and have
 7 personal knowledge of the parties'
 8 understanding of, and intent behind, the
 9 terms and conditions of the agreements."
 10 Q. Is that a true and accurate statement?
 11 A. It is.
 12 Q. And can you explain to me what your
 13 involvement was with the negotiation and execution of
 14 the agreements that you executed on behalf of Sequent?
 15 A. Yes. At the time, Sequent had need to extend
 16 its basic product offering, the Dynix operating system,
 17 to allow additional applications that were built for the
 18 AT&T System V operating environment, which is different
 19 than the Unix BSD 4.2 environment that the Sequent
 20 product was built upon. And so Sequent needed to have
 21 access to the source code in order to make that
 22 possible. Roger Swanson and others in the software
 23 development team worked with people at AT&T to secure a
 24 license to that source code so that the work could
 25 begin.

Page 19

1 And my role in that was to review the
 2 documents and to ascertain the intent of the parties,
 3 make sure that we were getting what we needed and that
 4 it was a fair deal.
 5 Q. During the course of the negotiations with
 6 AT&T, did you have any personal interactions with anyone
 7 from AT&T?
 8 A. From time to time, I participated in
 9 conference calls. I don't recall -- it's possible, but
 10 I don't recall that we ever met face to face. I think
 11 they were all telephone interactions.
 12 Q. And on these conference calls, were the terms
 13 of the licensing agreements discussed?
 14 A. Yes, they were.
 15 Q. Do you remember who from AT&T was on these
 16 conference calls?
 17 A. I do not. The one thing I do remember is that
 18 it wasn't the guy who signed the agreement. It wasn't
 19 Mr. Wilson. It was another guy, but I don't remember
 20 who it was.
 21 Q. Do you -- have you ever had any interactions
 22 with Mr. Wilson?
 23 A. I might have since. I mean, I might have met
 24 him at some conference or something like that, but not
 25 during this time.

Page 20

1 Q. Do you remember what other Sequent
 2 representatives were on the conference calls with AT&T?
 3 A. Usually, it would have been Roger Swanson, who
 4 is the director of software engineering. We may have
 5 included some of the key software engineers at the time
 6 that we were discussing particular technical issues.
 7 Q. Do you remember who those individuals were?
 8 A. I don't remember precisely. It probably would
 9 have been Bob Beck, who was the principal software
 10 architect for the Dynix operating system. Might have
 11 been Bob Kasten, who was also a principal software
 12 engineer. But I don't have a precise recollection.
 13 Q. You've mentioned in your testimony the Dynix
 14 operating system. Can you just explain --
 15 A. Yes.
 16 Q. -- what you're referring to when you say that?
 17 A. Yes. Sequent -- the principal product, as I
 18 mentioned earlier, of Sequent was a hardware platform
 19 that consisted of multiple microprocessors sharing a
 20 common memory structure, and the operating environment
 21 was a variant of Unix that was derived from the Berkeley
 22 Standard Distribution 4.2 code. So Dynix was a variant
 23 adapted to the multiple microprocessor architecture of
 24 the Sequent hardware.
 25 Q. Did you have any involvement in developing the

DAVID P. RODGERS

Page 21

1 Dynix operating system?
 2 A. I wouldn't claim architectural or any
 3 authorship. Yes, of course I wrote programs and
 4 reviewed plans, and I had a direct involvement in the
 5 development of the Dynix operating system, but I would
 6 not consider myself an author of the software.
 7 Q. Do you recall approximately when the first
 8 version of the Dynix operating system was created?
 9 A. The first working version probably was created
 10 sometime in early 1984.
 11 Q. And do you specifically recall that the Dynix
 12 operating system was based on the Berkeley -- the BSD
 13 4.2 release, or is that --
 14 A. No. That's --
 15 MR. HEISE: Objection to form.
 16 You may answer.
 17 MR. KAO: Q. Oh, I should also note that
 18 during the course of the deposition, counsel may object.
 19 So you should give -- before answering any of my
 20 questions, you should pause and allow counsel to
 21 interpose an objection.
 22 A. Shall I answer?
 23 Q. Yeah, you can answer if you --
 24 A. Yes, the Dynix operating system was based on
 25 the Berkeley Standard Distribution 4.2 version.

Page 22

1 Q. Going back to the conference calls you
 2 discussed being a part of with AT&T, what was the
 3 purpose of those calls, to the best you can recall?
 4 A. The licensing agreement is somewhat vague, and
 5 so we wanted to understand the meaning or the intent of
 6 some of the paragraphs.
 7 Q. Let's turn back to your declaration. And
 8 looking at paragraph 6, I'll ask you to read paragraph 6
 9 for the record, if you could.
 10 A. Yes.
 11 "It was my understanding that the licensing
 12 agreements that I executed were standard form
 13 agreements used by AT&T Technologies to
 14 license Unix software products to its users.
 15 The Software Agreement granted Sequent the
 16 right to use Unix software products,
 17 including source code, for its internal
 18 business purposes. The agreement further
 19 granted Sequent the right to modify Unix
 20 software products and to prepare" --
 21 THE COURT REPORTER: Excuse me.
 22 MR. KAO: You may need to read a little slower
 23 so the court reporter can get everything down.
 24 THE WITNESS: Oh, excuse me. Where shall I
 25 pick up?

Page 23

1 (Record read.)
 2 THE WITNESS: "The agreement further
 3 granted Sequent the right to modify Unix
 4 software products and to prepare derivative
 5 works based upon such products."
 6 MR. KAO: Q. Are your statements in
 7 paragraph 6 true and accurate?
 8 A. Yes.
 9 Q. And can you explain what you mean by the
 10 statement that it was your understanding that the
 11 licensing agreements were standard form agreements?
 12 A. Yes. If I may give you some context, AT&T's
 13 interest at this point in time was to create a broader
 14 following for the System V variation of Unix, and so
 15 the -- they had a kind of a proselytizing or marketing
 16 program going on to get people signed up to use the A T&T
 17 Unix variant.
 18 As a consequence of that, there were
 19 applications written for the System V variant of Unix
 20 that Sequent wanted to have access to; and so we needed
 21 to license from AT&T the specific elements, the specific
 22 APIs that were necessary to allow those -- those
 23 applications to run.
 24 That meant that we needed to look at the
 25 source code, take those little elements of the source

Page 24

1 code that were System V specific, and weld them into the
 2 Dynix operating system environment.
 3 Q. When you say "APIs," what do you mean by that?
 4 A. Application programming interfaces.
 5 Q. Do you remember, sitting here today, what
 6 specific elements of the Unix System V program Sequent
 7 wanted access to?
 8 A. I don't recall a specific -- I mean, I can say
 9 generally that it was the system calls of System V,
 10 which are somewhat different than the system calls of
 11 Berkeley, but I don't remember precisely which
 12 application needed which system call.
 13 Q. And can you just describe for me what a system
 14 call is?
 15 A. Right. An operating system generally is a
 16 resource allocation piece of programming. And things
 17 that the operating system allocates are pieces of
 18 memory, access to a processor, access to a storage
 19 device such as a disk, access to a terminal device. The
 20 system calls are the way the software expresses the need
 21 to access one of those resources.
 22 Q. I guess, going back to a question that I
 23 asked, I'm not sure -- maybe I asked it unclearly --
 24 about your -- that you answered the question earlier
 25 that I had asked about what it is you meant by the fact

DAVID P. RODGERS

Page 25

1 that you executed standard form agreements used by AT&T
 2 Technologies.
 3 A. Yes. AT&T provided a document, and -- which
 4 is the document that's here under Tab 1, and they
 5 represented it as the form that they used routinely with
 6 all of their customers, all of their partners, to
 7 provide access to the source code.
 8 Q. Did anyone from AT&T at any point ever
 9 communicate to you that they intended to treat their
 10 licensees for Unix System V the same way?
 11 MR. HEISE: Objection to form.
 12 You may answer.
 13 THE WITNESS: I don't recall that particular
 14 content.
 15 MR. KAO: Q. Turning now to paragraph 7 of
 16 your declaration, can you read paragraph 7 --
 17 A. Yes.
 18 Q. -- for me, please.
 19 A. "Section 2.01 of the Software Agreement
 20 states that Sequent's right to use includes
 21 the right to modify such SOFTWARE PRODUCT and
 22 to prepare derivative works based on such
 23 SOFTWARE PRODUCT, providing that the
 24 resulting materials are treated hereunder as
 25 part of the original SOFTWARE PRODUCT." I

Page 26

1 did not understand this language to give AT&T
 2 Technologies the right to assert ownership or
 3 control over modifications or derivative
 4 works prepared by Sequent, except to the
 5 extent that the licensed Unix software
 6 product was included in such modifications or
 7 derivative works. I would never have signed
 8 an agreement that would grant ownership or
 9 control to AT&T Technologies over
 10 modifications or derivative works prepared by
 11 Sequent to the extent those modifications or
 12 derivative works contained no part of the
 13 Unix software product licensed from AT&T
 14 Technologies."
 15 Q. Are the statements that you make in
 16 paragraph 7 of your declaration true and accurate?
 17 A. They are.
 18 Q. Can you -- well, first, let's look at the
 19 document behind Tab 1, at the software agreement.
 20 A. Yes.
 21 Q. Is the language that you read from in your
 22 declaration contained in Section 2.01 of this agreement
 23 that's attached as Tab 1?
 24 A. Yes, it is.
 25 Q. And can you explain to me -- well, strike

Page 27

1 that.
 2 You state that you did not understand this
 3 language to give AT&T Technologies the right to assert
 4 ownership or control over modifications or derivative
 5 works prepared by Sequent, except to the extent that the
 6 licensed Unix software product was included in such
 7 modifications or derivative works.
 8 Do you see that?
 9 MR. HEISE: Objection; form.
 10 You may answer.
 11 MR. KAO: Q. Do you see that in your
 12 declaration?
 13 A. Yes, I do see that.
 14 Q. Can you explain to me what you mean by that?
 15 A. It would have been foolish of me, as an
 16 officer of a venture finance start-up company, to give
 17 away the rights to the company's core products in
 18 perpetuity. I mean, I certainly would not have done
 19 that. So my understanding -- and this was confirmed in
 20 some phone calls -- my understanding was that what AT&T
 21 wanted to hold private was their contribution, their
 22 source code contribution, and that that work which had
 23 already been created by Sequent and any work that in the
 24 future was created by Sequent, not based upon that
 25 source code, remained the property of Sequent.

Page 28

1 Q. Did you understand Section 2.01 of the
 2 software agreement to impose any restrictions on
 3 Sequent's use of code that Sequent developed on its own?
 4 A. No, I did not.
 5 Q. Even if that code was contained in a Dynix
 6 product that had Unix System V code in it?
 7 MR. HEISE: Objection to form.
 8 You may answer.
 9 THE WITNESS: Yes. My understanding of the
 10 license is that the Unix System V code had to be
 11 maintained as the AT&T private property and withheld
 12 from disclosure but, if there were other elements of the
 13 software product created by Sequent, that those were
 14 Sequent's to dispose of as it chose.
 15 MR. KAO: Q. If you can turn to page 4 of
 16 your declaration, I'll have you read paragraph 8 of your
 17 declaration, if you could. I guess, for the court
 18 reporter's benefit and for the jury's benefit, if you
 19 could take your time and read it slowly.
 20 A. Certainly.
 21 "As I understood the Software Agreement
 22 between Sequent and AT&T Technologies,
 23 Sequent was free to use, copy, distribute or
 24 disclose any modifications or derivative
 25 works developed by Sequent, provided that it

DAVID P. RODGERS

Page 29	Page 31
<p>1 did not copy, distribute or disclose any 2 portion of the licensed Unix software product 3 source code (except as otherwise permitted by 4 the licensing agreements)." 5 Q. Are the statements that you make in 6 paragraph 8 of your declaration -- 7 A. They are. 8 Q. -- true and accurate? 9 And can you tell me what you base your 10 understanding of the software agreement on? 11 A. A combination of reading of the document and 12 conversations with my staff and the AT&T parties to the 13 agreement. 14 Q. And when you say "my staff," can you -- 15 A. Principally, Roger Swanson and Bob Beck and 16 others. 17 Q. And is that the understanding you had when you 18 executed these agreements? 19 A. Yes, it is. 20 Q. I'll ask you to now read paragraph 9 into the 21 record, if you could. Take your time. 22 A. "It is my understanding that Sequent's 23 Dynix products might include some small parts 24 of the licensed Unix System V source code, 25 although I don't [sic] personally know</p>	<p>1 .A. I do not. 2 Q. Do you have any personal knowledge as to what 3 BSD Unix code is contained in Dynix? 4 A. A substantial portion, but I couldn't claim to 5 know what proportion. 6 Q. What is your understanding of what the term 7 "derivative work" means? 8 A. A derivative work is something that contains 9 all or part of some other piece of work. 10 Q. Do you have an understanding of what the term 11 "modifications" mean? 12 A. "Modifications" means either an augmentation, 13 meaning an additional function, or a change to 14 accommodate some other factor. 15 Q. And by "augmentation," do you mean adding -- 16 well, how do you augment something? 17 MR. HEISE: Objection; form. 18 You may answer. 19 MR. KAO: Q. You could answer. 20 A. "Augmentation" means an additional function. 21 If I can use an example, based on the earlier 22 description, the Unix operating environment, as 23 conceived both by Berkeley and by AT&T, had no notion of 24 multiple processors and the need to preserve the content 25 of a cache memory system in order to improve</p>
<p>1 whether it does or not. I also do not know 2 whether Dynix is so similar to Unix System V 3 that it may be" -- "may properly be viewed as 4 a 'derivative work' based on Unix System V, 5 particularly in light of the fact that Dynix 6 was originally created using Berkeley 7 Software Design" -- parenthetically -- 8 "(BSD) Unix as a base and not AT&T 9 Technologies' Unix System V. In any event, 10 as I understood the Sequent Agreements, 11 Sequent was free to use, copy, distribute, or 12 disclose Dynix (including source code), 13 provided that it did not copy, distribute or 14 disclose any Unix System V source code that 15 might be contained therein (except as 16 otherwise permitted by the licensing 17 agreements)." 18 Q. Mr. Rodgers, are the statements that you make 19 in paragraph 9 of your declaration true and accurate? 20 A. Yes, they are. 21 Q. Now, in paragraph 9 you discuss the fact 22 that -- well, strike that. 23 Do you know -- do you have any personal 24 knowledge as to what Unix System V code is contained in 25 Dynix?</p>	<p>1 performance. So an augmentation that exists in Dynix is 2 so-called processor affinity. It's the ability of a 3 program to say: I would like to continue running on the 4 processor that I was running on before so that I can 5 preserve those dynamic memory contents and, as a result, 6 operate at a higher speed. 7 So an augmentation that exists in Dynix is 8 processor affinity. It's a system call that doesn't 9 exist in another version of Unix, that specifically 10 allows for a program to get higher execution speed. 11 Q. And is an augmentation implemented through new 12 source code? 13 A. It's completely new source code. 14 Q. Now, you also mentioned, in your understanding 15 of the word "modification," that it could include 16 changes. 17 A. That's right. 18 Q. Can you explain to me what you mean by that? 19 A. Certainly. For example, the compilers that 20 were used to build the Dynix operating system are the 21 Berkeley-derived compilers, and there are subtle 22 differences in the way symbols are treated. And so it 23 might be necessary, if you wanted to compile, without 24 adding an additional function, a System V source module to 25 make a modification that was really cosmetic or had no</p>

8 (Pages 29 to 32)

DAVID P. RODGERS

Page 33

1 meaning other than to make it compatible with the form
 2 of the compiler. So you might change a symbol from
 3 having a dollar sign in it to not having a dollar sign
 4 in it to make it compatible.
 5 Q. Have you ever heard of something, Mr. Rodgers,
 6 called Dynix/ptx?
 7 A. Yes. That was a later version of the Dynix
 8 operating system that was prepared that had a higher
 9 degree of compatibility with the System V operating
 10 environment.
 11 Q. Do you know when Dynix/ptx was created?
 12 A. I don't have a precise date recollection. It
 13 was certainly during my tenure at Sequent, but I don't
 14 have an exact recollection. And it was certainly --
 15 certainly after 1985, 1986.
 16 Q. Did -- earlier you talked about the Dynix
 17 operating system. Did the Dynix operating system
 18 continue to exist after Dynix/ptx was created, or was it
 19 replaced by Dynix/ptx?
 20 A. They coexisted. Gradually -- AT&T ultimately
 21 was successful in their campaign to proselytize the
 22 System V operating environment, and so more and more
 23 application software was created for the System V
 24 operating environment. And although there were new
 25 applications created for the BSD family of Unixes, they

Page 34

1 were mostly aimed at technical and university-oriented
 2 markets.
 3 *Sequent continued to sell both Dynix and*
 4 *Dynix/ptx, but as its business became more and more*
 5 *commercially oriented, aimed at high-end business*
 6 *systems and commercial applications based on databases,*
 7 *I would say the proportion of Dynix/ptx to Dynix sales*
 8 *changed in favor of Dynix/ptx.*
 9 Q. In paragraph 9, then, of your declaration, are
 10 you referring to Dynix or Dynix/ptx?
 11 A. Actually, both of the products, Dynix and
 12 Dynix/ptx, started from the same source base. In this
 13 paragraph, I'm actually referring to the Dynix, the
 14 predecessor operating environment, but the paragraph
 15 applies to both versions of the product. The core of
 16 the Dynix/ptx operating system is also Berkeley derived.
 17 Q. I'll ask you to review now paragraph 10 of
 18 your declaration for yourself. There's no need to read
 19 that into the record.
 20 A. Yes.
 21 Q. Is that a true and accurate statement?
 22 A. It is.
 23 Q. And I'll ask you also to review paragraph 11
 24 of your declaration to yourself.
 25 A. Okay.

Page 35

1 Q. Is that an accurate statement?
 2 A. It is.
 3 Q. And in paragraph 11, you note that
 4 *Section 7.06(a) of the software agreement includes*
 5 *language concerning confidentiality; is that right?*
 6 A. Yes, I do.
 7 Q. Can you turn with me to the software agreement
 8 that's attached behind Tab 1 of your declaration. And
 9 there, if you can turn to Section 7.06(a).
 10 A. Okay.
 11 Q. My only question is whether this
 12 *Section 7.06(a) that appears in the software agreement*
 13 *is the same section that you discuss in your*
 14 *declaration.*
 15 A. Yes, it is.
 16 Q. Now, turning back to your declaration, to
 17 paragraph 12, can you read paragraph 12 into the record
 18 for me?
 19 A. Okay.
 20 *"It was my understanding that the purpose of*
 21 *this confidentiality provision from the*
 22 *perspective of AT&T Technologies was to*
 23 *protect the Unix System V source code that it*
 24 *was licensing. Although there is reference*
 25 *in Section 7.06(a) to 'methods or*

Page 36

1 concepts" -- in quotes -- "I had no
 2 understanding at the time that AT&T
 3 Technologies was interested in protecting
 4 anything other than the Unix source code."
 5 Q. Is that true and accurate?
 6 A. It is.
 7 MR. HEISE: Excuse me.
 8 MR. KAO: Q. Can you -- well, first, can you
 9 explain to me where you get your understanding of the
 10 purpose of Section 7.06(a) of the software agreement?
 11 A. From the reading of the document and from the
 12 conversations with AT&T Technologies folks.
 13 Q. And what is it in particular that you base
 14 your understanding that AT&T Technologies was not
 15 interested in protecting methods or concepts?
 16 A. Actually, there are several things that lead
 17 to that understanding.
 18 The first is that contemporaneous with this
 19 *document and with Sequent's work, AT&T employees and*
 20 *others were publishing books and generally exposing the*
 21 *structure of the Unix operating system. Universities,*
 22 *by this time, had switched to training young engineers*
 23 *in software methods using the Unix operating system. So*
 24 *the notion of protecting the methods or concepts of Unix*
 25 *actually was turned on its head. Instead of protecting,*

DAVID P. RODGERS

<p style="text-align: right;">Page 37</p> <p>1 they were actually exposing and proselytizing methods or 2 concepts because they were trying to build a broad base 3 of technical workers who were competent in the 4 technologies. 5 So as a consequence, it was very clear from 6 the paragraph and from the conversations that what they 7 were mostly interested in was just keeping the source 8 code under control. 9 Q. Did you ever ask anybody from AT&T to delete 10 that language from the software agreement? 11 A. I did not because we had an understanding what 12 it referred to. 13 Q. Do you know if anybody from your staff ever 14 asked anyone from AT&T to delete the language? 15 A. Not to my knowledge. 16 Q. Did anybody, in your discussions with AT&T, 17 ever attempt to define for you what the term "methods or 18 concepts" means? 19 A. It's a pretty vague term, but I would say an 20 example of a method is how to produce digits for 21 printing from a binary number. 22 And the technique, of course, is well known. 23 You divide by the base. The remainder is the digit to 24 which you add the base of the character. In ASCII, it's 25 60 octal. You take, then, the quotient and divide it</p>	<p style="text-align: right;">Page 39</p> <p>1 Q. Were you involved in negotiating that 2 agreement? 3 A. I don't recall direct involvement. I think it 4 was probably Michael Simon who did that one. 5 Q. And who is Michael Simon? 6 A. He was the V.P. of marketing at the time. 7 Q. Do you know what time period that agreement 8 was entered into? 9 A. I have no precise recollection. 10 Q. And can you describe for me generally what 11 that agreement entailed? 12 A. It was basically a consulting services 13 agreement where Sequent technical resources would be 14 applied to development on behalf of AT&T. 15 Q. Do you know if any work was ever performed 16 pursuant to that agreement? 17 A. I believe so, but I don't have direct 18 knowledge. 19 Q. Was that agreement entered into while you were 20 vice president of engineering? 21 A. Actually, I think it was after I had moved on 22 to be CIO or even later. 23 Q. What did it -- sorry. 24 Was it executed during a time that you were 25 overseas, or were you still in Portland?</p>
<p style="text-align: right;">Page 38</p> <p>1 again by the base, producing the next digit, and so on. 2 So that's an example of a method where 3 repeated division by the base, using the remainder to 4 produce a character and using the quotient to do the 5 next digit until it becomes zero. 6 Q. Is the method that you described something 7 that's a method from Unix System V, or were you just 8 giving an example? 9 A. That's certainly used in Unix System V, but 10 it's an example of a method that probably goes back to 11 the origin of numbers. Probably the Greeks did it. 12 Q. With respect to this Section 7.06(a), did you 13 understand AT&T to be asserting any right to control 14 methods or concepts contained in the Dynix software? 15 MR. HEISE: Objection to form. 16 You may answer. Excuse me. 17 THE WITNESS: Certainly not. In fact, the 18 later agreement that we had with AT&T suggested that 19 they didn't have such concepts and that they needed 20 Sequent to help them develop them. 21 MR. KAO: Q. Can you tell me what later 22 agreement you're referring to? 23 A. We did a consulting agreement with AT&T later 24 on, where we added some multiprocessor enhancements for 25 System V.</p>	<p style="text-align: right;">Page 40</p> <p>1 A. I don't have a precise recollection. 2 Q. Do you have any recollection of specifically 3 what technology was involved in that agreement? 4 A. Only generally, that it related to 5 multiprocessing. 6 Q. Turning back to your declaration, 7 paragraph 13, can you read paragraph 13 for the record, 8 please? 9 A. Yes. 10 "As I understood the agreement regarding 11 confidentiality, Sequent had no obligation to 12 keep confidential any information embodied in 13 any of the software products provided to 14 Sequent, provided that Sequent did not 15 disclose source code (except as otherwise 16 permitted by the license agreements). In 17 addition, as I discuss above, Sequent had no 18 obligation to keep confidential any 19 modification or derivative work developed by 20 Sequent that did not include . . . System V" 21 -- "Unix System V source code. Sequent was 22 free to use, copy, distribute or disclose 23 such modifications and derivative works, 24 provided that it did not copy, distribute or 25 disclose any portions of the licensed Unix</p>

DAVID P. RODGERS

Page 41

1 source code (except as otherwise permitted by
 2 the license agreements)."
 3 Q. Are the statements that you make in
 4 paragraph 13 of your declaration --
 5 A. They are.
 6 Q. -- true and accurate?
 7 And again, I'll ask you what you base your
 8 understanding of the software agreement on.
 9 A. Again, it's based on a reading of the
 10 agreement and conversations with AT&T personnel at the
 11 time.
 12 Q. At several places in your declaration,
 13 including in this paragraph, you say that "except as
 14 otherwise permitted by the license agreements."
 15 Do you see that?
 16 A. Yes, I do.
 17 Q. What do you mean by that?
 18 A. There are certain elements that are in the
 19 source code that actually have to be reproduced.
 20 I think a trivial example is the copyright
 21 notice which is in the source code but we're required to
 22 reproduce it in viewable form, so . . .
 23 Header files are another example of things
 24 that have to be exposed in order to make the operating
 25 environment usable.

Page 42

1 Q. What's a header file?
 2 A. It's a source module that contains symbol
 3 definitions.
 4 Q. And what do you mean by they had to be
 5 exposed?
 6 A. In order to make a program that effectively
 7 uses the System V calls, you have to have those symbols
 8 defined for the program.
 9 Q. And was it your understanding that AT&T
 10 permitted those header files to be disclosed without any
 11 restriction?
 12 A. Yes. They have to be.
 13 Q. Did somebody from AT&T ever tell you that?
 14 A. No. It's how it works.
 15 Q. Let me ask you to turn to the last page of
 16 your declaration, and I'll ask you to read paragraph 14
 17 into the record.
 18 A. "The confidentiality provision of the
 19 Software Agreement provided that Sequent was
 20 not required to keep a software product
 21 confidential if it became 'available without
 22 restriction to the general public.' As" --
 23 quoted -- "I understood the agreement,
 24 Sequent would be free to disclose, without
 25 any restriction whatsoever, information that

Page 43

1 became available without restriction to the
 2 general public by acts not attributable to
 3 Sequent or its employees."
 4 Q. Are those statements true and accurate --
 5 A. They are.
 6 Q. -- Mr. Rodgers?
 7 Now, the language that you referred to in
 8 paragraph 14, is that language contained in
 9 Section 7.06(a) of the software agreement that's
 10 attached behind Tab 1 to your declaration?
 11 A. Yes, it is.
 12 Q. And can you tell me what your understanding of
 13 that language is based on?
 14 A. Yes. The -- in fact, generally, in
 15 confidentiality agreements, there are some basic
 16 provisions that if the owner of the restricted
 17 information makes it public, say through a public
 18 disclosure, or that someone else lawfully in possession
 19 of that information makes it public or it's
 20 independently discovered or it's subject to a court
 21 order, that that information then becomes free for
 22 disclosure. That was my understanding -- even though
 23 that language here is vague, that was my understanding
 24 as to what it meant to be otherwise accessible.
 25 Q. Did you have any discussions with anyone at

Page 44

1 AT&T specifically about that language?
 2 A. I don't recall those discussions.
 3 Q. If you could look now at paragraph 15 of your
 4 declaration.
 5 A. Yes.
 6 Q. I'll ask you to read that into the record.
 7 And again, take your time for the court reporter.
 8 A. Mm-hmm.
 9 "Although I do not recall any particular
 10 definition being given to the term 'available
 11 without restriction to the general public,'
 12 at the time the Software Agreement was
 13 executed, I believe a number of circumstances
 14 would meet the definition. For example, a
 15 software product or any part of a software
 16 product would be considered 'available
 17 without restriction to the general public' if
 18 it was lawfully published by someone outside
 19 of Sequent. I believe that any number of
 20 books and other materials have been published
 21 regarding the Unix software, and that the
 22 information contained in those materials at
 23 least would not be subject to the
 24 confidentiality restrictions in the Software
 25 Agreement."

DAVID P. RODGERS

Page 45	Page 47
<p>1 Q. Are the statements that you make in 2 paragraph 15 of your declaration true and accurate? 3 A. They are. 4 Q. Can you explain for me the circumstances that 5 you believe would be considered -- well, strike that. 6 Can you just explain to me the circumstances 7 that you discuss in your declaration and how that would 8 make something available without restriction to the 9 general public? 10 A. Yes. As I've said previously, AT&T was on a 11 marketing campaign, and they were encouraging or perhaps 12 allowing a number of their employees to publish books, 13 documenting the inner workings of Unix System V. They 14 were encouraging professors at universities to teach 15 their students on how to develop and enhance the Unix 16 operating environment. 17 So in particular, I was in possession of a 18 book at the time that talked a lot about how Unix worked 19 internally. There were lots of books published then and 20 since on how Unix works internally. And at least if you 21 read the preface, many of those were actually encouraged, 22 by AT&T Technologies. 23 Q. Do you remember the names of any of the books 24 that you had regarding Unix? 25 A. There are zillions. The one I remember</p>	<p>1 we've been talking about here today? 2 A. Until our first contact, I did not. 3 Q. I'd like you now just to turn to the software 4 agreement itself, which is the document behind Tab 1 of 5 your declaration. 6 A. Yes. 7 Q. And in particular, at Section 2.01. 8 A. Okay. 9 Q. And my question to you is whether, in your 10 understanding of Section 2.01, AT&T placed any 11 restrictions on the use of Sequent's Dynix source code 12 that it wrote on its own? 13 MR. HEISE: Objection to form. 14 You may answer. 15 THE WITNESS: None that I understood from my 16 reading or my conversations. My reading of this 17 paragraph and my understanding of this paragraph is that 18 it relied -- or it referred only to the Unix System V 19 source code that was contributed by AT&T. 20 MR. KAO: Q. I'll ask you to look at 21 Section 2.05 of this agreement. And my question for you 22 is whether you understood Section 2.05 of this agreement 23 to place any restrictions on Sequent's use of the Dynix 24 source code that Sequent wrote on its own? 25 A. No, I did not understand this to --</p>
<p>Page 46</p> <p>1 personally is Unix System Primer, but -- and I won't be 2 able to give you a precise title, but there was another 3 book I remember that was the Design of the Unix 4 Operating System. That's an approximate title. 5 Q. Was the author of that a guy by the name of 6 Maurice Bach or Bach, by any chance? 7 MR. HEISE: Objection to form. 8 THE WITNESS: Yeah, Maury Bach certainly would 9 have been one of the authors. 10 MR. KAO: Q. And those are -- strike that. 11 Do you have those books pursuant to any 12 license from AT&T? 13 A. No. Those were freely available. You go to 14 the bookstore. 15 Q. Did those books, to the extent you remember, 16 contain any source code from Unix System V? 17 A. There were source code fragments in many of 18 the books. 19 Q. Are there any other circumstances that you 20 believe would meet the definition of "available without 21 restriction to the general public," sitting here today? 22 A. Certainly a public announcement would qualify 23 as available to the general public. 24 Q. Now, after -- after leaving Sequent, did you 25 have the occasion to ever review these agreements that</p>	<p>Page 48</p> <p>1 MR. HEISE: Let me -- 2 THE WITNESS: -- apply. 3 MR. HEISE: -- object to form as well, but -- 4 THE WITNESS: Sorry. 5 MR. HEISE: -- I was a little bit slow on the 6 draw. That was my fault. 7 MR. KAO: Q. I'll ask you to look at 8 Section 4.01 of the agreement. 9 A. Yes. 10 Q. And my question is whether you understood 11 Section 4.01 to place any restrictions on Sequent's 12 export of any Dynix source code that Sequent wrote on 13 its own. 14 MR. HEISE: The same objection. 15 You may answer. 16 THE WITNESS: No, I did not understand this to 17 apply to Sequent's own source code. 18 MR. KAO: Q. Let me ask you to turn to 19 Section 7.06(a) of the agreement. And can you review 20 that for yourself. 21 A. Yes. 22 Okay. 23 Q. And my question is whether you understood 24 Section 7.06(a) to place any restrictions on Sequent's 25 ability to disclose Dynix source code that Sequent wrote</p>

12 (Pages 45 to 48)

DAVID P. RODGERS

Page 49

1 on its own.
 2 MR. HEISE: Objection; form.
 3 You may answer.
 4 THE WITNESS: Again, no, I did not understand
 5 this to apply to the Sequent source code.
 6 MR. KAO: Q. And finally, I'll have you look
 7 at Section 7.10 to the software agreement.
 8 A. Okay.
 9 Q. And my question is whether you understood
 10 Section 7.10 to restrict Sequent's ability to sell,
 11 lease, or otherwise transfer or dispose of any Dynix
 12 source code that Sequent wrote on its own.
 13 MR. HEISE: Same objection.
 14 You may answer.
 15 THE WITNESS: No. This, in particular, would
 16 have been crazy if I had interpreted it as applying to
 17 the Sequent source code, because that was the -- one of
 18 the key assets of the company. To bind a key asset
 19 would have required a board decision.
 20 MR. KAO: Can we go off the record?
 21 THE VIDEOGRAPHER: Going off the record. The
 22 time is 9:08.
 23 (Recess taken.)
 24 THE VIDEOGRAPHER: We are back on the record.
 25 The time is 9:31.

Page 50

1 MR. KAO: Q. I just have a few remaining
 2 questions for you, Mr. Rodgers. And you might as well
 3 pretend like I'm sitting over there --
 4 A. Okay.
 5 Q. -- so the video will look all right.
 6 A. All right.
 7 Q. First question for you is, you referred to
 8 Dynix/ptx in your testimony earlier. And I was curious
 9 to know what it is that "ptx" stands for.
 10 A. Ptx is kind of a tweak on POSIX. The
 11 government was promulgating some standards for Unix at
 12 the time under the rubric of POSIX, which I think was
 13 also known as P1109, or something like that, at the
 14 time. In any case, "psx," which was a more obvious
 15 reference to POSIX, wasn't available; so we settled on
 16 "ptx" as the reference to POSIX compliance. And that
 17 was to give us some more credibility in government
 18 sales.
 19 Q. What is POSIX?
 20 A. POSIX is a government standard for Unix
 21 application programming interfaces. It's -- there are,
 22 as you probably know, a lot of government standards
 23 designed to improve the portability and the
 24 cost-effectiveness of government procurements, and POSIX
 25 is one of those standards relating to Unix.

Page 51

1 Q. Do you know which agency within the government
 2 issued POSIX standards?
 3 A. I don't, at this moment in time, remember who
 4 was doing it. It was probably Commerce, but I don't
 5 know.
 6 Q. Was there an independent -- was it actually a
 7 government agency, or was it some sort of joint, you
 8 know, independent -- joint government and commercial
 9 body? Do you know?
 10 A. Like a lot of these standards activities,
 11 there are contributors and hangers-on and authorizers
 12 and sponsors. And so it was government-sponsored,
 13 contributed-to-by-private-sector activity.
 14 Q. And I think you mentioned POSIX compliance
 15 before. What does it mean to be compliant with POSIX?
 16 A. To comply with the POSIX standard, you have to
 17 implement the system program interface, the application
 18 programming interface, and the system calls in a
 19 specific way so that the applications run the way
 20 they're expected to run and that there are no unexpected
 21 side effects of the way it's implemented.
 22 Q. While you were employed at Sequent, did
 23 Sequent ever, to your knowledge, disclose any Unix
 24 System V source code without permission?
 25 A. Not to my knowledge.

Page 52

1 Q. Did Sequent ever export any Unix System V
 2 source code without permission?
 3 A. Not to my knowledge.
 4 Q. Did Sequent ever transfer -- well, let me ask
 5 it this way: Did Sequent ever sell, lease, or otherwise
 6 transfer or dispose of any Unix System V source code
 7 without permission?
 8 A. Not to my knowledge.
 9 Q. Did Sequent ever allow any other entities to
 10 use Unix System V source code without permission?
 11 A. Not to my knowledge.
 12 Q. Did Sequent ever use Unix System V source code
 13 in any way that was not permitted by its license with
 14 AT&T?
 15 A. Not to --
 16 MR. HEISE: Objection to form.
 17 You may answer.
 18 THE WITNESS: Not to my knowledge.
 19 MR. KAO: That's all I have.
 20 EXAMINATION BY MR. HEISE
 21 MR. HEISE: Q. Good morning, Mr. Rodgers.
 22 A. Good morning.
 23 Q. As I mentioned earlier, I'm Mark Heise,
 24 representing The SCO Group in this case. And as Mr. Kao
 25 mentioned, to the extent I ask you a question that is

DAVID P. RODGERS

Page 53

1 unclear to you or I mumble or do something to prevent
 2 you from answering, just please let me know. I'll be
 3 glad to rephrase it or try and accommodate your
 4 concerns.
 5 You and I have never met before; is that
 6 correct?
 7 A. That's correct."
 8 Q. And I want to essentially follow the same
 9 format that you did with the lawyer for IBM. I'm going
 10 to go through some of your personal history and then go
 11 through some of the statements that you made in the
 12 affidavit.
 13 The address that you gave us earlier in
 14 Saratoga, is that your home or office address?
 15 A. That's my home.
 16 Q. What is your office address?
 17 A. It's 475 Sycamore, S-y-c-a-m-o-r-e, Drive in
 18 Milpitas, California.
 19 Q. And that's for IP Unity?
 20 A. That's IP Unity.
 21 Q. Do you currently own any stock in IBM?
 22 A. I may. My personal investment advisers invest
 23 in mutual funds, and so from time to time I may.
 24 Q. Other than a possible investment in a mutual
 25 fund, you don't own individual shares of IBM?

Page 54

1 A. Correct.
 2 Q. With respect to some of your personal history,
 3 you know, I have to ask these questions. Have you ever
 4 been arrested?
 5 A. No.
 6 Q. Have you ever been convicted of any crime?
 7 A. No.
 8 Q. You mentioned that you were in an automobile
 9 accident in 2001.
 10 A. Yes.
 11 Q. Were you the plaintiff in that case or the
 12 defendant in that case?
 13 A. I was the defendant.
 14 Q. And you said it ultimately --
 15 A. Settled.
 16 Q. -- settled.
 17 What was the name of the plaintiff in that
 18 case?
 19 A. His last name is Kitikoon. I don't recall his
 20 first name.
 21 Q. Do you have a copy of the deposition that you
 22 gave in that case?
 23 A. I do not.
 24 Q. Who was your lawyer in that case?
 25 A. It was the insurance company lawyer, and his

Page 55

1 name was, I think, Mike McDonald, but that's not -- I
 2 don't recall his name.
 3 Q. Is he here in San Jose or Saratoga?
 4 A. Yes, he's in San Jose.
 5 Q. In terms of your professional background after
 6 you graduated from college, you indicated that you began
 7 at Digital Equipment Corporation in approximately 1973.
 8 A. That's right.
 9 Q. From the time that you graduated in 1968 up
 10 until 1973, how were you employed?
 11 A. I was employed by Carnegie-Mellon University,
 12 in the computer science.
 13 Q. That's right. You mentioned that.
 14 A. Right.
 15 Q. I forgot.
 16 As your employment at Carnegie-Mellon, did you
 17 have any involvement whatsoever with licensing of any
 18 type at Carnegie-Mellon?
 19 A. I don't recall doing any.
 20 Q. How about with Digital Equipment Corporation?
 21 What was your position there?
 22 A. My position was as a development engineer and
 23 later as a development manager, and it was a series of
 24 engineering jobs.
 25 Q. So in those engineering jobs, did your

Page 56

1 position require you to review or execute licenses on
 2 behalf of Digital?
 3 A. No.
 4 Q. When you went to Sequent in approximately
 5 1983, I think you indicated for us that you were there
 6 as the vice president of engineering from approximately
 7 1983 to 1991. Is that correct?
 8 A. I wasn't V.P. of engineering that whole time,
 9 but I was V.P. of engineering initially and then in a
 10 variety of other roles until I left the company.
 11 Q. Okay. Then I misunderstood, then.
 12 If we could, if you could just track for us
 13 your roles, because what I -- this is what I understood,
 14 and maybe it's high level enough to be accurate.
 15 Vice president of engineering from 1983 to
 16 1991, chief information officer in Europe from '91 to
 17 '93, and then head of professional services from
 18 approximately 1993 to 1996.
 19 A. The misunderstanding is that from about '88 to
 20 '91, I was CIO; '91 to '93, I was in Europe as the --
 21 Q. Okay.
 22 A. -- European engineering manager.
 23 Q. Thank you for correcting that.
 24 In your role as vice president of engineering
 25 from '83 to approximately '88, did you execute any

DAVID P. RODGERS

Page 57

1 software license agreements other than the ones that
 2 we've talked about this morning?
 3 A. Yes. I'm sure we licensed a variety of tools
 4 and other technology for engineering.
 5 Q. What companies would you have executed license
 6 agreements on behalf of Sequent during that time frame?
 7 A. I don't recall specific names at this point in
 8 time, but we would have had license agreements with -- I
 9 can't think of the name of the company -- with a
 10 compiler company that I think was called Green River
 11 Software or something like that. In any case, it was a
 12 Bay Area company that had compiler technology that we
 13 used. We had some license agreements for some test
 14 tools. We had some license agreements with Mentor
 15 Graphics for the computer-aided design workstations. We
 16 had -- I'm trying to think what else.
 17 In any case, the bulk of the license
 18 agreements were for engineering tools, and then there
 19 were a couple of license agreements that were for
 20 software that was passed through to the customer, a
 21 Fortran compiler, a C compiler, and so on.
 22 Q. Could you tell us or give us an approximation
 23 of the number of licenses? Are we talking about four or
 24 five? Are we talking about 40 or 50? Just --
 25 A. Oh, it's not in the tens. It's going to be

Page 58

1 countable on the fingers of two hands.
 2 Q. Okay. Were you the person that was assigned
 3 to execute all of these licenses, or was there somebody
 4 else in the company that was also involved at the
 5 execution level?
 6 A. It was certainly a matter of convenience,
 7 whoever -- whatever executive was around at the time
 8 that the license agreement needed to be signed. I saw a
 9 lot of them, but certainly not every one of them.
 10 Q. Was there a person at Sequent that was
 11 designated to negotiate the licenses on behalf of
 12 Sequent, whether it be with AT&T or Mentor Graphics or
 13 any of these test tool companies?
 14 A. Again, it would depend a little on what the
 15 nature of it was. So, for instance, Roger Swanson, who
 16 was the director of software engineering, did a lot of
 17 the software licenses, specifically the compilers and
 18 the source code licenses. Walt Mayberry would have done
 19 the hardware licenses and -- the hardware design tool
 20 licenses. But again, it was a small company, so it was
 21 whoever was in town at the time.
 22 Q. Is that how it ended up that you signed on
 23 behalf of Sequent? You happened to be in town as
 24 opposed to some of the other engineers that had the
 25 authority to sign?

Page 59

1 MR. KAO: Objection to form.
 2 MR. HEISE: Q. You may answer.
 3 MR. KAO: You can answer.
 4 THE WITNESS: Okay. Sorry.
 5 In the case of that license agreement, I had
 6 more involvement perhaps because it was a major piece of
 7 function, but it certainly wasn't necessary that I be
 8 the person executing.
 9 MR. HEISE: Q. And the reason I'm asking is,
 10 you indicated earlier that you did not personally
 11 negotiate any of the terms.
 12 A. Right.
 13 Q. And so I was wondering why the person who did
 14 negotiate the terms was not that. And it seems to be
 15 that you're telling us that it just happened that you
 16 were the person that would have been in town that day to
 17 sign the agreement.
 18 A. It was probably --
 19 MR. KAO: Objection to form.
 20 Give me a chance to object, but you can answer
 21 the question.
 22 THE WITNESS: Okay. It was probably a little
 23 more than that, because it was a technical issue. But
 24 yeah, it would have been one of the executives who was
 25 executing on the recommendation of the director of

Page 60

1 software engineering.
 2 MR. HEISE: Q. And the director of software
 3 engineering, I've already forgotten his name and you've
 4 said it three times.
 5 A. Roger Swanson.
 6 Q. Okay. What was the process that Sequent would
 7 follow when it would license? And just to give you an
 8 idea of what I'm talking about, would it be done by
 9 committee headed by Roger Swanson? He would meet with
 10 all of you? Would it go to the legal department? Just,
 11 if you could, walk us through the steps of Sequent wants
 12 to license X product. How does Sequent go about doing
 13 that during the time that you were there?
 14 A. Okay. First, there's a difference between
 15 licensing a product for internal use and licensing a
 16 product for incorporation in resale.
 17 And so for internal use, they were largely
 18 standard form licenses: negotiate the best price you
 19 can for as few seats as you can buy and get on with it.
 20 So there wasn't a lot of negotiating.
 21 In the case of a product for resale, as it
 22 would have been for the compilers or operating system
 23 components, again there would be a cost consideration.
 24 Is there an appropriate royalty that's not unsustainable
 25 from a commercial perspective? Are the licensing terms

DAVID P. RODGERS

Page 61

Page 63

1 fair, meaning there's no undue restriction on the
 2 ability to distribute, for example?
 3 And then whoever happened to be the subject
 4 matter expert -- in the case of most of the software, it
 5 was Roger -- would proceed to engage with whoever was
 6 the source of the technology and come to a draft
 7 agreement. We'd read it over, have a discussion with --
 8 to see if we could move them around a little bit, if
 9 that was necessary, and then executed the document.
 10 Q. So in the case --
 11 A. There wasn't a corporate counsel to respond to
 12 the question.
 13 Q. Okay. So in the case of an agreement for
 14 internal use -- which you understood the Unix System V
 15 agreement to be for internal purposes only; right?
 16 A. It varied at different moments in time. The
 17 initial agreement was for internal use. It was to get
 18 access to the source code --
 19 Q. Correct.
 20 A. -- so that we could put a System V face on the
 21 Dynix operating system.
 22 At the point in time when there was a
 23 derivative work prepared and it was ready for sale, then
 24 we executed the next agreement, which was to give us
 25 distribution rights for that.

1 language --
 2 Q. Right.
 3 A. -- that humans can interpret that gives them
 4 control over what algorithm is being executed.
 5 The source code form often will be larger than
 6 the binary code form. The source code form almost
 7 always will have a layer of abstraction like a library
 8 between it and the binary code form.
 9 Q. And so if we were to look at the binary form,
 10 it would just appear as a series of 1s and 0s?
 11 A. That's correct.
 12 Q. After your tenure as the vice president of
 13 engineering at Sequent, during which time you executed
 14 these agreements, for the remainder of your time at
 15 Sequent, either as the chief information officer or head
 16 of professional services, did you have any involvement
 17 in executing any other licenses on behalf of Sequent?
 18 A. Certainly as the CIO, I executed license
 19 agreements for software for internal use. We used
 20 Oracle extensively. There were a number of accounting
 21 programs and other programs that we used.
 22 As professional services head, I don't recall
 23 executing any license agreements. I might have done one
 24 with respect to -- with Lotus Corporation with respect
 25 to Notes, but I don't have a specific recollection of

Page 62

Page 64

1 Q. Just so that we're clear on the record, what
 2 you're referring to is initially what was executed for
 3 internal business purposes only was Exhibit 1 to
 4 Exhibit 100, the software agreement?
 5 A. That's correct.
 6 Q. And then, when you were ready to distribute
 7 the derivative work, it was based upon entering into
 8 Exhibit 2 to Exhibit 100; is that correct?
 9 A. That's correct.
 10 Q. Okay. When you entered into Exhibit 2, the
 11 sublicensing agreement to Exhibit 100, that was to allow
 12 Sequent to distribute in binary form only; is that
 13 correct?
 14 A. That's correct.
 15 Q. And so that we all understand, binary form is
 16 different than source form; is that correct?
 17 A. That's correct.
 18 Q. Could you tell us the difference between
 19 source code versus binary or object code?
 20 A. Right. At the highest level, source code is
 21 human readable and binary code is machine readable or
 22 computer executable. Specifically, the binary form will
 23 be a highly encoded representation of the detailed
 24 instructions for whatever the program is, and the source
 25 code will be a representation in something close to a

1 that.
 2 Q. Again, in terms of these other licenses,
 3 Oracle or Lotus that were for internal business
 4 purposes, can we count those on a hand or two or are
 5 those in the dozens?
 6 A. Still small numbers.
 7 Q. Okay.
 8 A. Yes.
 9 Q. After you left Sequent in approximately 1996,
 10 you said you went to Compaq Corporation?
 11 A. That's right.
 12 Q. As the vice president of business
 13 applications, did you have any responsibility for
 14 executing licenses on behalf of Compaq?
 15 A. No.
 16 Q. How about during your tenure at Brightlink?
 17 Did you have any responsibility for negotiating or
 18 executing license agreements on behalf of Brightlink?
 19 A. Yes. Again, it would have been engineering
 20 tools.
 21 Q. So not for internal business purposes? The
 22 other type of --
 23 A. Correct.
 24 Q. -- contracts?
 25 And how about at IP Unity? Do you have any

DAVID P. RODGERS

Page 65	Page 67
<p>1 involvement in the execution or negotiation of license 2 agreements?</p> <p>3 A. Yes.</p> <p>4 Q. And are those for internal use only license 5 agreements or for the tools and the like?</p> <p>6 A. Both types.</p> <p>7 Q. Of the companies other than Sequent, which 8 we're obviously discussing this morning, have you 9 executed or been involved in the negotiation of any 10 license agreements with AT&T or any of its successors 11 for Unix System V code?</p> <p>12 A. Not to my recollection.</p> <p>13 Q. You had indicated earlier that you met with 14 Mr. Kao, the lawyer for IBM, sometime in 2003. Is that 15 correct?</p> <p>16 A. I don't recall the exact date, but I've met 17 with Mr. Kao two times before today.</p> <p>18 Q. Okay. Let's -- if you could, sir, tell us the 19 first time that you met with Mr. Kao.</p> <p>20 MR. KAO: Again, I'd caution the witness not 21 to reveal any attorney-client communications, but you 22 are able to answer Mr. Heise's question here.</p> <p>23 THE WITNESS: Okay. After the preparation of 24 my document here, I had the occasion to meet with 25 Mr. Kao here in San Jose; and basically, we just</p>	<p>1 processing.</p> <p>2 At some point in time, it became necessary to 3 expand the base of application software that was 4 available for the platform in order to expand sales, and 5 this was a time when the applications were being written 6 for a number of variants of Unix, but the most prominent 7 ones were the Berkeley variant and the System V variant. 8 And so we sought to license System V technology from 9 AT&T in order to add that second flavor, that second 10 body of application code.</p> <p>11 So we -- Roger engaged with someone at AT&T. 12 I don't actually recall how we got to find out who would 13 do the licensing. And we executed the source agreement, 14 which is this Exhibit 1; started working on it; 15 developed a first version of the Dynix operating system 16 that had a so-called System V personality. And 17 internally, we referred to it as "the oil slick" because 18 that was about how much difference there was. And we 19 went to market with that, and that was adequate to 20 secure some additional applications.</p> <p>21 Over time, as POSIX and AT&T's marketing 22 program were successful, there were more applications 23 available for the System V API variant, and so we needed 24 to make a more faithful expression of the System V 25 system calls, and so that was the -- when we started</p>
<p>Page 66</p> <p>1 reviewed the content of the document and confirmed that 2 it was an accurate statement of my recollection.</p> <p>3 MR. HEISE: Q. Okay. I may have used a word 4 that unnecessarily confined your answer, so let me just 5 take one step back. When is the first time you had any 6 contact with anybody on behalf of IBM?</p> <p>7 A. Okay. That would have been in 2003. It was a 8 phone call. Again, I don't recall whether it was 9 Mr. Kao or someone else from his office who made the 10 initial contact, but it was a phone call asking me if I 11 was the guy who signed the document.</p> <p>12 Q. Was anything else discussed in that first 13 phone call?</p> <p>14 A. Again, I don't have a precise recollection; 15 but I probably, in the first phone call, recounted the 16 general sequence of events.</p> <p>17 Q. Okay. And in that first phone call, could you 18 recount for us the general sequence of events that took 19 place at that point?</p> <p>20 A. Yes. The history of Sequent is that it 21 started off building a multimicroprocessor hardware 22 platform running the Unix operating system, and it chose 23 the Unix Berkeley Standard Distribution as the source 24 basis for that operating system. Its innovations were 25 in the area of symmetric multiprocessing and parallel</p>	<p>Page 68</p> <p>1 building and marketing the Dynix/ptx variant. Continued 2 to market both versions of the software.</p> <p>3 Eventually -- there were several platform 4 changes during this time, first going from a National 5 Semiconductor 32-bit -- 16-bit micro to a 32-bit micro 6 to an Intel 386-based product to an Intel 486-based 7 product; and ultimately, very close to the end of my 8 employment at Sequent, we started working on distributed 9 coherent cache architecture that was an opportunity to 10 scale up the number of processors that could be put in a 11 shared memory architecture.</p> <p>12 MR. KAO: Could we go off the record for one 13 second?</p> <p>14 THE VIDEOGRAPHER: Going off the record. The 15 time is 9:56.</p> <p>16 (Discussion off the record.)</p> <p>17 THE VIDEOGRAPHER: We're back on the record. 18 This marks the end of Tape No. 1 in the 19 deposition of David Rodgers. We're going off the 20 record. The time is 9:57.</p> <p>21 (Recess taken.)</p> <p>22 THE VIDEOGRAPHER: We're back on the record. 23 This marks the beginning of Tape No. 2 in the 24 deposition of David Rodgers. The time is 10 o'clock. 25 MR. KAO: For the record, Mr. Rodgers just</p>

17 (Pages 65 to 68)

DAVID P. RODGERS

Page 69

1 testified as to communications he had with either myself
 2 or somebody at my law firm before we agreed to represent
 3 Mr. Rodgers.
 4 Mr. Rodgers informed me at break that he
 5 wasn't sure if he actually had those discussions with me
 6 or with somebody else before or after. So I don't
 7 intend his testimony to be a waiver -- to constitute a
 8 waiver of the attorney-client privilege to the extent
 9 that those discussions happened after we agreed to
 10 represent him.
 11 MR. HEISE: Q. When I was asking you the
 12 question, this was all what I understood was in the
 13 first phone conversation. So that's where I'm limiting
 14 my questions to right now.
 15 A. Okay. So I've misled you. Describing things
 16 that happened in a series of conversations and the first
 17 meeting.
 18 Q. Okay. Then let me make sure that we're all
 19 perfectly clear on the record.
 20 You indicated you got a phone call from
 21 somebody at IBM's counsel's office, asking if you are
 22 the David Rodgers that signed the agreement.
 23 A. Yes.
 24 Q. You indicated that in that conversation, other
 25 matters were discussed. And so I thought you had

Page 70

1 indicated you talked about the history of Sequent in
 2 that initial conversation and that's what you just
 3 provided to us. Is that correct?
 4 A. Yes.
 5 Q. What else was discussed in that first
 6 conversation when you were contacted by IBM's attorneys?
 7 A. I don't have a precise recollection, but
 8 probably I was asked would I be willing to document my
 9 recollection.
 10 Q. Was anything else discussed during that first
 11 conversation?
 12 A. No.
 13 Q. Did you take any notes from that first
 14 conversation?
 15 A. I did not.
 16 Q. Did you prepare any -- any documentation as a
 17 result of that first conversation, specifically in
 18 response to the request of would you document what took
 19 place?
 20 A. I did not.
 21 Q. When was the next time you had any contact
 22 with anybody from IBM's attorneys' offices?
 23 A. I met with Mr. Kao here in San Jose, and that
 24 was my opportunity to see the -- these exhibits.
 25 Q. Were there any other discussions, phone calls,

Page 71

1 correspondence from the time of that initial phone call
 2 and the time that IBM's attorneys came and met you here
 3 in San Jose, California?
 4 A. None that I recall. I mean, possibly one to
 5 organize the meeting, but . . .
 6 Q. At that second meeting, who was in attendance?
 7 A. Myself and Mr. Kao.
 8 Q. Nobody else?
 9 A. Right.
 10 Q. Was that at your home or your office?
 11 A. Actually, it was here in San Jose, but I don't
 12 recall where it was.
 13 Q. Besides the three exhibits that were attached
 14 to Exhibit 100, were you shown anything else at that
 15 second meeting?
 16 MR. KAO: And here, at that meeting, we agreed
 17 to represent Mr. Rodgers. So I'm going to instruct the
 18 witness not to answer that. And I --
 19 MR. HEISE: Well, let me --
 20 MR. KAO: The fact that the exhibits were
 21 disclosed, I also would not -- you know, I would like to
 22 state that's not intended to waive the privilege.
 23 MR. HEISE: Well, then let me just explore
 24 this for just one moment.
 25 Q. During your first meeting with IBM's attorneys

Page 72

1 here in San Jose, California, did a point in time -- at
 2 what point in time during that meeting was there a
 3 discussion about IBM's attorneys representing you?
 4 A. Sometime during the meeting. I don't recall
 5 whether it came up at the beginning or, you know, after
 6 the pleasantries, but sometime during that meeting.
 7 Q. Was there ever a point in time in which you
 8 have signed or -- scratch that.
 9 Was there ever a point in time when you had a
 10 written agreement that IBM's attorneys were going to
 11 represent you?
 12 A. No.
 13 Q. Have you had discussions about them
 14 representing you? Let me --
 15 A. Unclear.
 16 Q. Let me put that back into English.
 17 You do not have a written agreement with
 18 anybody representing IBM in this case --
 19 A. That's correct.
 20 Q. -- to be your attorney?
 21 A. That's correct.
 22 Q. Would it be fair, then, to say that the
 23 agreement that IBM's attorneys represent you is only
 24 oral?
 25 A. That's correct.

DAVID P. RODGERS

Page 73

1 Q. And you don't recall what was said in this
 2 meeting with Mr. Kao before you came to this oral
 3 understanding of the fact that IBM's attorneys were
 4 going to also represent you in this case?
 5 A. No. As I said, we might have exchanged
 6 pleasantries or something.
 7 Q. How long was the meeting?
 8 A. Maybe an hour.
 9 Q. After that meeting, when was the next contact
 10 you had by anyone who was also representing IBM in this
 11 case?
 12 A. There was a later phone call. I don't have a
 13 precise recollection as to time.
 14 Q. With whom?
 15 A. I think it was with Mr. Kao. And my
 16 recollection is it was just "Are you available to give a
 17 deposition?"
 18 Q. Approximately when would that phone call have
 19 been?
 20 A. Actually, let me correct myself. The next
 21 contact would have been to discuss the review of a draft
 22 declaration and then, after that, it would have been to
 23 discuss my willingness to give a deposition.
 24 Q. Okay. In looking at your Exhibit 100, this
 25 declaration that you signed, it indicates that it was

Page 74

1 signed on November 5th, 2003.
 2 A. Yes.
 3 Q. Is that when, in fact, it was signed?
 4 A. Yes.
 5 Q. So using November 5th, 2003 as a date of which
 6 we are certain, how far before that was your first
 7 contact by phone with Mr. Kao and then your meeting with
 8 Mr. Kao? Can you tell us that?
 9 A. I have no recollection.
 10 Q. Was it within days? Weeks? Months?
 11 A. I would guess that it's more a span of weeks.
 12 Q. From the time that you said -- excuse me --
 13 Mr. Kao and you met and the declaration was prepared,
 14 did you prepare the declaration during that time frame?
 15 A. No. I gave the fact statements, and then the
 16 declaration was prepared by someone in Mr. Kao's office
 17 and delivered to me -- I think it was delivered
 18 electronically -- for review. I marked it up.
 19 Q. When you say "electronically," you mean as an
 20 attachment to an e-mail?
 21 A. Yes.
 22 Q. Do you maintain your sent or deleted e-mails?
 23 A. For a period of time.
 24 Q. Do they become automatically deleted, or do
 25 you have to manually permanently delete them?

Page 75

1 A. I purge them every two or three months.
 2 Q. Do you know whether you've purged the e-mails
 3 going back and forth between you and the lawyers for
 4 IBM?
 5 A. Not definitively.
 6 Q. Can you agree not to purge any of the e-mails
 7 that go between you and IBM's attorneys until this case
 8 has been resolved?
 9 A. Well, depends on when that is.
 10 Q. Certainly for the next 12 months, so that in
 11 the event we need to see them, they won't be made more
 12 difficult to retrieve by going to archives and all that?
 13 A. I can't agree to keep them on-line. That's
 14 what I have to do to maintain the integrity of my
 15 e-mail. What I can do is agree to preserve them in some
 16 machine-readable form.
 17 Q. That would be fine.
 18 When you got the first draft of this
 19 declaration prepared by IBM's attorneys, you indicated
 20 you had made some changes to it and sent those back.
 21 A. Yes.
 22 Q. What changes were made to it?
 23 A. Don't have a precise recollection. I think
 24 there were a number of incorrect references to Dynix and
 25 Unix System V. I think there was one statement that

Page 76

1 just seemed awkwardly put. It was substantively
 2 accurate, but it wasn't technically accurate.
 3 Q. Was there anything from your first phone call
 4 that was not included in the declaration that was
 5 ultimately prepared by IBM's attorneys?
 6 A. Not to my recall, but . . .
 7 Q. How many times was there a back-and-forth of
 8 changing this declaration before you signed it on
 9 November 5th, 2003?
 10 A. I recall only one update, one edit.
 11 Q. After November 5th, 2003, when you signed the
 12 declaration prepared by IBM's attorneys, did you have
 13 any further contact with anybody representing IBM in
 14 this case?
 15 MR. KAO: Again, I'll caution the witness to
 16 limit the answer to whether you had contact and not what
 17 the substance of the communications were.
 18 THE WITNESS: And your question is between
 19 November 5th and now?
 20 MR. HEISE: Q. As we sit here today, correct.
 21 A. Yes.
 22 Q. Okay. When was the next contact after you
 23 executed this declaration, November 5th, 2003, that you
 24 had contact with the lawyers for IBM?
 25 A. Sometime earlier this year I was contacted,

DAVID P. RODGERS

Page 77

1 asking if I was available.
 2 MR. KAO: I mean, again, I don't intend that
 3 to be a waiver of the attorney-client privilege. I
 4 think Mr. Heise was just asking -- you are allowed to
 5 tell Mr. Heise the occasions on which you were
 6 contacted --
 7 THE WITNESS: I see.
 8 MR. KAO: -- and how often and the dates, to
 9 the extent you can remember them, but I instruct you not
 10 to disclose the content of any communications between
 11 you and myself.
 12 MR. HEISE: Q. So sometime in 2004 you were
 13 contacted again by --
 14 A. Yes.
 15 Q. -- IBM's attorneys?
 16 And was that telephone or in person?
 17 A. Telephone.
 18 Q. How long was that conversation, approximately?
 19 A. Would have been a short conversation.
 20 Q. After that short telephone conversation, did
 21 you have any further contact with IBM's attorneys?
 22 A. Yes.
 23 Q. When was that?
 24 A. Relatively recently. It would have been in
 25 the last month.

Page 78

1 Q. Was that in person or by telephone?
 2 A. By telephone.
 3 Q. Okay. Was that also a short telephone
 4 conversation, or was that a --
 5 A. Basically a short call.
 6 Q. After that phone conversation, did you have
 7 any other contact with anybody representing IBM?
 8 A. Yes.
 9 Q. When was that?
 10 A. Yesterday.
 11 Q. Was that in person or by phone?
 12 A. It was in person with Mr. Kao.
 13 Q. How long was your meeting -- how long was your
 14 meeting with Mr. Kao yesterday?
 15 A. Not including lunch, about an hour.
 16 Q. Did you meet today before your deposition?
 17 A. Briefly.
 18 Q. You indicated that Roger Swanson was the
 19 director of software at Sequent.
 20 A. That's correct.
 21 Q. Do you know where he is currently?
 22 A. I believe that he resides in either Portland
 23 or Beaverton, Oregon.
 24 Q. Do you know where he's employed?
 25 A. I don't know.

Page 79

1 Q. When's the last time that you had contact with
 2 Mr. Swanson?
 3 A. It's been years.
 4 Q. In preparation to give your deposition today,
 5 have you taken any steps?
 6 MR. KAO: Again, I would --
 7 THE WITNESS: I don't understand the question.
 8 MR. HEISE: Q. Have you done anything to
 9 prepare yourself for today's deposition?
 10 MR. KAO: And again, I would instruct the
 11 witness, to the extent it discloses any attorney-client
 12 communications, that you not answer the question.
 13 THE WITNESS: I read the document.
 14 MR. HEISE: Q. Have you reviewed anything
 15 other than the Exhibit 100 with its attachments?
 16 MR. KAO: I'm going to instruct the witness
 17 not to answer the question.
 18 MR. HEISE: On what basis?
 19 MR. KAO: On the basis of attorney-client
 20 privilege.
 21 MR. HEISE: Q. Have you had conversations
 22 with anyone other than your attorney --
 23 MR. KAO: The same position you guys took.
 24 MR. HEISE: Q. -- about your deposition
 25 today?

Page 80

1 A. I told my father I was going to do it.
 2 Q. Have you talked with anybody who is a witness
 3 in this case or a potential witness in this case?
 4 A. I don't think so. I suppose that's possible.
 5 Q. For example, you didn't talk to Mr. Swanson?
 6 A. I have not.
 7 Q. You just said you hadn't talked to him in
 8 years. So that's what I'm trying to get at, is whether
 9 you've talked to anybody, if you've talked to
 10 Mr. Wilson, who you said --
 11 A. No.
 12 Q. -- signed this agreement and that sort of
 13 thing. Okay.
 14 During the time that you were at Sequent, who
 15 else besides Mr. Swanson was involved in the
 16 negotiations, discussions, or execution of the license
 17 for the Unix System V software that's attached to your
 18 declaration?
 19 A. I don't have a precise recollection. It's
 20 possible that any number of people were. And it's
 21 certainly likely that we would have discussed the
 22 agreement at the executive staff meetings, but as to
 23 negotiations, I think it was probably only Roger and a
 24 couple of the other staff members who I've mentioned
 25 before.

DAVID P. RODGERS

Page 81

1 Q. Was there anybody that would be, in your mind,
 2 the person who was the lead negotiator on behalf of
 3 Sequent since, as you've indicated, you had no personal
 4 contact with AT&T?
 5 MR. KAO: Objection; mischaracterizes the
 6 witness's testimony.
 7 MR. HEISE: Q. Okay. You can answer the
 8 question.
 9 A. Roger is the lead negotiator, was the lead
 10 negotiator. I was certainly on phone calls with AT&T
 11 personnel at various points in time.
 12 Q. Did you participate, or were you just
 13 listening?
 14 A. Be hard to imagine me not participating.
 15 Q. Okay. Who at AT&T was on these phone calls?
 16 A. That, I don't have a precise recollection of.
 17 As I said, I don't think it was Mr. Wilson, and I don't
 18 remember the name of the lead guy on the AT&T side.
 19 Q. Was it just one person from AT&T?
 20 A. There's certainly one person with whom we
 21 worked most frequently, but I recall that there were
 22 other people involved in the process.
 23 Q. What do you mean by that, others involved in
 24 the process?
 25 A. Preparing the drafts and transmitting the

Page 82

1 documents, things like that.
 2 Q. When you say "preparing the drafts," what
 3 drafts are you referring to?
 4 A. The drafts of this license agreement.
 5 Q. Well, Sequent didn't prepare those drafts.
 6 A. That's correct. They were prepared by AT&T.
 7 Q. So I thought from your testimony before you
 8 indicated that this was a -- you had been told this was
 9 a standard form agreement --
 10 A. Yes.
 11 Q. -- and that you had to sign it?
 12 A. Yeah.
 13 Q. So what terms, if any, were negotiated
 14 differently from the standard form agreement?
 15 A. None that I'm aware of. I mean, you had to
 16 put the names and addresses and parties into the
 17 document.
 18 Q. So would it be fair, then, to say that there
 19 really was no negotiation other than price?
 20 MR. KAO: Objection to form.
 21 MR. HEISE: Q. You may answer.
 22 A. Okay.
 23 MR. KAO: Yeah, sorry.
 24 MR. HEISE: You can tack that onto the end of
 25 every time somebody says "objection" unless he says it's

Page 83

1 attorney-client privilege.
 2 MR. KAO: Yeah. Unless I instruct you not to
 3 answer, you can still answer the question.
 4 THE WITNESS: It wasn't -- I would say except
 5 for price, it wasn't about the language. It was -- and
 6 all of the discussions about intent or meaning were
 7 oral.
 8 MR. HEISE: Q. Okay. And that's -- I'm just
 9 trying to make sure we're very clear on this.
 10 AT&T said, "Here's the agreement." No terms
 11 are negotiated, changed in any way, other than
 12 discussions of price?
 13 MR. KAO: Objection to form.
 14 THE WITNESS: I don't think it was that
 15 heavy-handed. I mean, I think they said, "We want to
 16 recruit you as a System V licensee. Is there anything
 17 here that gives you particular heartburn?"
 18 But it wasn't -- you know, it wasn't like,
 19 "Let's start drafting from the first paragraph."
 20 MR. HEISE: Q. Okay. And when you were asked
 21 something along the lines of "Is there anything here
 22 that gives you particular heartburn?" if there was
 23 anything, none of those terms were changed from the
 24 standard agreement?
 25 A. Not that I recall. It was a pretty benign

Page 84

1 agreement.
 2 Q. If you could, sir, just at a general level of
 3 what you've described as a benign agreement, this
 4 Exhibit 1, the software agreement, what is your
 5 understanding as to what it provided to Sequent?
 6 A. You're speaking just of the first agreement?
 7 Q. Just to the first agreement.
 8 A. The first agreement provides Sequent with
 9 access to the AT&T System V source code for its internal
 10 use, and that internal use was preparation of a
 11 derivative work that incorporated System V APIs.
 12 Q. Did it incorporate anything from System V
 13 other than the application programming interfaces, the
 14 APIs?
 15 MR. KAO: Objection to form.
 16 You can answer.
 17 THE WITNESS: Not that I know of. As I've
 18 said before, there were probably some things like
 19 copyright notices and header files and things like that
 20 that had to be, just as a matter of making it useful,
 21 copied from the System V source.
 22 MR. HEISE: Q. And do you recall whether
 23 Sequent had licensed System V, Release 3, or System V,
 24 Release 4, or any other particular release of System V?
 25 A. To my recollection, only 5.2 was licensed.

DAVID P. RODGERS

Page 85

1 Q. And when you say "5.2," you're seeing
 2 System V, Release 2?
 3 A. Yes.
 4 Q. Do you know whether any subsequent agreements
 5 were ever entered into by Sequent for licensing of
 6 System V code besides the three that are attached to
 7 your declaration?
 8 A. Not to my knowledge.
 9 Q. Do you know whether Sequent ever license
 10 System V, Release 4?
 11 A. I don't know that.
 12 Q. After you left Sequent in 1996, did Sequent
 13 continue to use Unix, to your knowledge?
 14 A. You mean continue to sell it as a product?
 15 Q. Continue to use -- I may have misspoken.
 16 After you left, do you know whether Sequent
 17 continued to use Unix System V?
 18 A. Internally -- I'll answer it: Internally,
 19 Sequent used Dynix as its operating system for its own
 20 commercial applications and, of course, others, Windows.
 21 It continued to sell both Dynix and Dynix/px.
 22 Q. Well, you understood that both Dynix and
 23 Dynix/px contained Unix/System V code?
 24 MR. KAO: Objection to form.
 25 THE WITNESS: No.

Page 86

1 MR. HEISE: Q. You have no understanding of
 2 that?
 3 A. First, I don't know it. And Dynix itself
 4 doesn't have, to the best of my knowledge, any System V
 5 code in it.
 6 Q. Do you know whether it contains anything from
 7 System V, whether it be source code, methods and
 8 concepts, structures, sequence and organization,
 9 anything --
 10 MR. KAO: Objection.
 11 MR. HEISE: Q. -- whatsoever from Unix
 12 System V?
 13 MR. KAO: Objection to form.
 14 THE WITNESS: I don't know that explicitly.
 15 MR. HEISE: Q. Was there any reason, besides
 16 having access to the Unix System V application
 17 programming interfaces, that Sequent licensed Unix
 18 System V?
 19 A. I can't state what value I would put on it,
 20 but there was certainly a marketing value to having --
 21 to being an AT&T System V licensee.
 22 Q. Why is that?
 23 A. It's essentially attraction of customers and
 24 third-party application developers.
 25 Q. Was the marketing value of being an AT&T

Page 87

1 customer something that Sequent would allow its
 2 customers to advertise?
 3 MR. KAO: Objection to form.
 4 THE WITNESS: I don't understand the question.
 5 MR. HEISE: Sure. I'll be glad to --
 6 Q. So, for example, the marketing value of being
 7 an AT&T customer, would Sequent tell its customers that
 8 it could tell the world that it's using Dynix which is
 9 derived from AT&T?
 10 A. No.
 11 MR. KAO: Objection to form.
 12 MR. HEISE: Q. Do you know whether Sequent
 13 has stated, either publicly or internally, that Dynix is
 14 derived from Unix System V?
 15 A. I don't know that explicitly. I doubt that
 16 that statement was made.
 17 Q. At the time that you signed Exhibit 1 to
 18 Exhibit 100, which you characterized as a benign
 19 agreement, was there anything that you found unclear or
 20 ambiguous in the document itself?
 21 A. Yes.
 22 Q. Okay. Tell us, at the time that you signed
 23 it, what you thought was unclear or ambiguous.
 24 A. Well, there are many terms, many things that
 25 are imprecise. In this particular case, the definition

Page 88

1 of "software product" just says System V source code.
 2 It's not a listing of all the modules. Methods and
 3 procedures is not specific as to are these patented
 4 methods, are these industry-standard procedures, covered
 5 by a standards body. I mean, there's lots of
 6 imprecision in this document.
 7 Q. Well, that's what I'm trying to find out from
 8 you is: What in this agreement you believe was unclear
 9 or ambiguous at the time that you entered into it? So,
 10 so far you've identified Section 1.04, the definition of
 11 "software product"; and in Section 7.06, the methods and
 12 concepts.
 13 Is there anything else that you believed was
 14 unclear or ambiguous at the time that you entered
 15 into -- excuse me -- Exhibit 1 to Exhibit 100?
 16 MR. KAO: Objection to form.
 17 THE WITNESS: I don't have a specific
 18 recollection of something that I thought was unclear at
 19 the time. I remember only that we needed to ask them
 20 some questions about what their intent was.
 21 MR. HEISE: Q. In these conversations that
 22 you've indicated you believe took place between Sequent
 23 and AT&T, was it -- were they limited solely to
 24 discussions of what the intent was or was there anything
 25 else discussed during these conversations?

DAVID P. RODGERS

Page 89

1 A. The ones that I was party to, it was mostly
 2 about what the intent was. I don't know what the other
 3 ones were.
 4 Q. Have you seen a single document from Sequent
 5 or AT&T that memorialized these discussions that you've
 6 described regarding the intent of the parties to this
 7 written agreement?
 8 A. I haven't seen --
 9 MR. KAO: Objection to form.
 10 THE WITNESS: I have not seen such a document.
 11 MR. HEISE: Q. Is there anything else that
 12 you can identify for us that you believe was clear --
 13 excuse me -- unclear or ambiguous other than what we've
 14 just discussed in Section 1.04 and Section 7.06, the
 15 definition of "software product" and "methods and
 16 concepts," respectively?
 17 MR. KAO: Objection to form. Are you asking
 18 for his recollection of what he remembers from the time
 19 period or sitting here today?
 20 MR. HEISE: I'm still back at the time of
 21 entering into this agreement.
 22 THE WITNESS: Not to my recollection.
 23 MR. HEISE: Q. Having had the opportunity to
 24 review the agreements again this morning, having had the
 25 opportunity to review them apparently on several

Page 90

1 occasions with counsel for IBM, is there anything that
 2 you view in this agreement that is unclear or ambiguous
 3 today?
 4 MR. KAO: Objection to form.
 5 You can answer.
 6 THE WITNESS: Look, today I would also
 7 critique it on the parenthetical exceptions, "except as
 8 otherwise may be permitted," since there's no reference
 9 there.
 10 MR. HEISE: Q. And what paragraph are you
 11 referring to, sir?
 12 A. The phrase "except as otherwise may be
 13 authorized or permitted." I'll see if I can find you a
 14 citation here. It's in the confidentiality paragraph.
 15 Q. That would be Section 7.06.
 16 A. That's not it.
 17 Well, I'm not finding it right away. But
 18 there's a parenthetical note in several occasions that
 19 just says -- it provides an exception to the
 20 confidentiality rule, but there's no citation. So it's
 21 vague as to what those exceptions are and where they
 22 might reside. This is not a monument to drafting.
 23 MR. KAO: I was going to say, if it may speed
 24 things up, I think he's talking about 7.06(a), but --
 25 MR. HEISE: Well, that's what I thought he was

Page 91

1 looking at, so -- but I'm --
 2 MR. KAO: But, yeah.
 3 THE WITNESS: Yeah.
 4 MR. KAO: Is that what you're --
 5 THE WITNESS: But I couldn't find the --
 6 MR. HEISE: Q. I want you to take whatever
 7 time you need to --
 8 MR. KAO: Yeah, look through the document and
 9 see.
 10 THE WITNESS: Let me look ahead at the next
 11 one, see if I find it there.
 12 MR. KAO: Did they get out of order?
 13 Oh, it could be that -- looks like his copy
 14 is --
 15 THE WITNESS: I got them scrambled.
 16 MR. KAO: -- gotten out of order. Yeah.
 17 THE WITNESS: Okay.
 18 I know it's not in there. I'll be careful
 19 here.
 20 I think it's my error. The parenthetical
 21 notes are in my declaration, not in the document.
 22 MR. HEISE: Q. So that portion of your
 23 declaration is unclear?
 24 A. I don't think it's unclear.
 25 MR. KAO: Objection to form.

Page 92

1 MR. HEISE: Q. My question before was: When
 2 you signed the agreement, what did you believe was
 3 unclear and ambiguous? And you identified 1.04 --
 4 A. Two items.
 5 Q. -- software products, and methods and concepts
 6 in 7.06.
 7 A. Right.
 8 Q. I then asked you: As you sit here today,
 9 after having the opportunity to review with counsel for
 10 IBM, go through all this stuff again, is there anything
 11 further that you found to be unclear or ambiguous? And
 12 you said, "The parenthetical "except as otherwise
 13 permitted." And I asked you where that is in the
 14 document, and it does not appear in the document.
 15 A. That's correct.
 16 Q. And the document I'm referring to is
 17 Exhibit 1, the software agreement; right?
 18 A. Yes.
 19 Q. So that is not something that --
 20 A. That is not something --
 21 Q. -- is newly found to be --
 22 A. That is correct.
 23 Q. -- ambiguous or unclear?
 24 A. That's correct.
 25 Q. And in fact, where that appears is in your

DAVID P. RODGERS

Page 93

1 affidavit or declaration?
 2 A. In my declaration, that's right.
 3 Q. Is there anything else, sir, either at the
 4 time or as you sit here today, that you can identify for
 5 us in this software agreement that you believe is
 6 unclear or ambiguous?
 7 MR. KAO: Objection to form.
 8 THE WITNESS: No. I think the initial
 9 document is very clear. It's a grant of access to
 10 source for internal use.
 11 MR. HEISE: Q. Well, let's talk about that
 12 grant of right to use for internal use.
 13 You're referring to Section 2.01; is that
 14 correct?
 15 A. Yes.
 16 Q. And actually, I'm going out of order. I'm
 17 going to get back to that in one second.
 18 The first item that you indicated was unclear
 19 at the time that you signed it was Section 1.04, the
 20 software product.
 21 A. Yes.
 22 Q. The agreement states that:
 23 "SOFTWARE PRODUCT means materials such as
 24 COMPUTER PROGRAMS, information used or
 25 interpreted by COMPUTER PROGRAMS and

Page 94

1 documentation relating to the use of COMPUTER
 2 PROGRAMS. Materials available from AT&T for
 3 a specific SOFTWARE PRODUCT are listed in the
 4 Schedule for such SOFTWARE PRODUCT."
 5 Is that a correct statement of what the
 6 agreement defines "software product" under Section 1.04?
 7 A. That is.
 8 Q. What is unclear about the definition of
 9 "software product" as set forth in the agreement?
 10 A. In this particular case, it's not an exact
 11 list of what those programs are. The definition is
 12 clear, so far as it goes, in that it's the programs. It
 13 doesn't state that they're in source form. It's pretty
 14 vague as to information used or interpreted by computer
 15 programs, because that might come from human beings as
 16 well as be part of the text files and documentation
 17 files. So it's a pretty wide-open definition.
 18 Q. Well, in fact, sir, computer programs is
 19 defined both to include source code and object code.
 20 A. Yes.
 21 Q. So it is clear with respect to that, is it
 22 not?
 23 MR. KAO: Objection to form.
 24 THE WITNESS: It's clear that it includes
 25 source and object forms, and then it goes -- however, it

Page 95

1 goes on to talk about interpreted information. It's
 2 pretty expansive.
 3 MR. HEISE: Q. That's correct. It's much
 4 more expansive than just source code, is it not?
 5 MR. KAO: Objection to form.
 6 THE WITNESS: Yes.
 7 MR. HEISE: Q. Was there anything unclear
 8 about the fact that 1.04 covered much more than simply
 9 source code?
 10 MR. KAO: Objection to form.
 11 THE WITNESS: No, it's not unclear that it
 12 covers much more. What it is unclear about is: What
 13 are those items?
 14 MR. HEISE: Q. Well, you would agree that it
 15 defines "computer programs" to include source code in
 16 object code format; right?
 17 A. Yes.
 18 Q. It also expands to include information used or
 19 interpreted by computer programs and documentation
 20 relating to the use of the computer programs.
 21 So you understood that there were more than
 22 just source code being covered by the term "software
 23 product"?
 24 A. Yes.
 25 Q. With respect to 2.01, the one we were just

Page 96

1 getting ready to talk to before I interrupted myself, it
 2 indicates that:
 3 "AT&T grants to LICENSEE" -- in this case,
 4 meaning Sequent -- "a personal,
 5 nontransferable and nonexclusive right to use
 6 in the United States each SOFTWARE PRODUCT
 7 identified in the one or more Supplements
 8 hereto, solely for LICENSEE'S own internal
 9 business purposes and solely on or in
 10 conjunction with DESIGNATED CPUs for such
 11 SOFTWARE PRODUCT."
 12 Is that a correct statement, sir?
 13 A. Yes, that's a . . .
 14 Q. And it's in here where it makes clear one of
 15 the topics we were talking about earlier, that it's for
 16 licensee's own internal business purposes, which is how
 17 you had characterized this agreement before. Is this
 18 where you're getting the language from --
 19 A. Yes.
 20 Q. -- that this was a document memorializing that
 21 it was for Sequent's own internal business purposes?
 22 A. Right.
 23 MR. KAO: Objection to form.
 24 MR. HEISE: Q. Would you agree, sir, that it
 25 clearly limits the right of Sequent to use the product

DAVID P. RODGERS

<p style="text-align: right;">Page 97</p> <p>1 in the United States? 2 A. Yes. 3 MR. KAO: Objection to form. 4 MR. HEISE: Q. Do you know whether the 5 software product was used outside the United States by 6 Sequent at any time? 7 MR. KAO: Objection to form. 8 THE WITNESS: I assume you're referring to the 9 period of time that this agreement alone was in force? 10 MR. HEISE: Q. No. I need to ask you what 11 you mean by "this agreement alone was in force." 12 A. After the distribution rights agreement was 13 signed, then certain elements, as part of the binary 14 distribution, might have been distributed outside of the 15 United States. 16 Q. Okay. And I appreciate you making that 17 clarification, because I'm talking strictly source code, 18 not binary code. 19 A. Okay. 20 Q. So my question to you is: Do you know whether 21 Sequent at any time distributed source code covered by 22 this software agreement outside the United States? 23 A. Not to my knowledge. 24 MR. KAO: Objection to form. 25 MR. HEISE: Q. Did Sequent have any</p>	<p style="text-align: right;">Page 99</p> <p>1 working on Dynix with access to Unix System V in India? 2 MR. KAO: Objection to form. 3 THE WITNESS: Not to my knowledge. 4 MR. HEISE: Q. Did Sequent, in fact, have 5 engineers in India? 6 MR. KAO: Objection to form. 7 THE WITNESS: During my tenure at Sequent, no. 8 I'm aware that Sequent made outsourcing arrangements 9 with Indian firms later, although I don't think that 10 those were related to System V. 11 MR. HEISE: Q. What do you think they were 12 related to? 13 A. I think they were related to other product 14 support issues. 15 Q. Were they related to Dynix? 16 A. They may have been related to Dynix, yes. 17 Q. In Section 2.01, is there anything that you 18 thought was unclear or ambiguous at the time that you 19 signed it or as you sit here today, after having 20 reviewed it on various occasions both by yourself and 21 with IBM's counsel? 22 MR. KAO: Objection to form. 23 THE WITNESS: There's nothing particularly 24 unclear. I mean, it has the same vagueness that we 25 discussed earlier.</p>
<p style="text-align: right;">Page 98</p> <p>1 facilities outside of the United States? 2 A. It did. 3 Q. Where? 4 A. It had sales offices in the U.K., outside of 5 London. It had sales offices in Hong Kong. It had 6 sales offices in France and Paris. It had sales offices 7 in Japan, outside of Tokyo. 8 Q. Did Sequent have engineers working anywhere 9 outside the United States? 10 A. Yes. 11 Q. Did it have engineers working on Dynix outside 12 the United States? 13 A. Do you mean in the creation of Dynix or in the 14 support or -- 15 Q. At any time after the System V code was 16 licensed from AT&T. 17 MR. KAO: Objection to form. 18 THE WITNESS: Of course. I mean, part of the 19 sales process and technical sales is to have an engineer 20 tell the customer when the sales guy's lying. 21 MR. HEISE: Q. Do you know whether Unix 22 System V was used by Sequent in India, for example? 23 A. Not to my knowledge. 24 MR. KAO: Objection to form. 25 MR. HEISE: Q. Did Sequent have engineers</p>	<p style="text-align: right;">Page 100</p> <p>1 MR. HEISE: Q. The next sentence in 2 Section 2.01 says: 3 "Such right to use includes the right to 4 modify such SOFTWARE PRODUCT and to prepare 5 derivative works based on such SOFTWARE 6 PRODUCT, provided the resulting materials are 7 treated hereunder as part of the original 8 SOFTWARE PRODUCT." 9 Do you see where I'm reading from? 10 A. I do. 11 Q. Did you understand that to be identifying what 12 Sequent could or could not do with the Unix System V 13 code that it had licensed? 14 MR. KAO: Objection to form. 15 THE WITNESS: I understood it to mean that 16 Sequent was required to maintain the confidentiality of 17 the System V materials that might have been embodied in 18 the derivative work. 19 MR. HEISE: Q. What did you understand the 20 phrase "the resulting materials" to be referring to in 21 that sentence? 22 A. In this paragraph, "the resulting materials" 23 would apply to the source code, the object code that was 24 derived from that source code, and the documentation 25 that would describe the behavior of that object code.</p>

DAVID P. RODGERS

Page 101

1 Q. Did you understand that the resulting
 2 materials referred to the modifications and derivative
 3 works based on the software products?
 4 A. I don't understand your question.
 5 Q. In this sentence where it says,
 6 "... provided the resulting materials are treated
 7 hereunder as part of the original SOFTWARE PRODUCT," did
 8 you understand, sir, that the phrase "the resulting
 9 materials" was referring to the modifications and
 10 derivative works based on the software product?
 11 A. No, I did not.
 12 Q. What did you believe it was referring to?
 13 A. To the original System V source code and
 14 object code.
 15 Q. Well, if that's the case then, sir, why
 16 wouldn't there just be a period after "software product"
 17 and you would eliminate the entire second half of that
 18 sentence?
 19 MR. KAO: Objection to form.
 20 THE WITNESS: I don't know.
 21 MR. HEISE: Q. Isn't that what you are now
 22 telling us you understood the sentence to mean, that the
 23 second half of that sentence didn't mean anything
 24 differently than the first half?
 25 MR. KAO: Objection to form.

Page 102

1 THE WITNESS: No. My comprehension of this
 2 paragraph is that there's an unmodified software product
 3 and a modified software product that incorporates other
 4 things created by Sequent and that with regard to the
 5 unmodified portion, the same treatment applies.
 6 MR. HEISE: Q. Well, when you would give a
 7 customer a copy of Dynix code --
 8 A. Yes.
 9 Q. Source code, not object code.
 10 A. That didn't occur frequently.
 11 Q. But you did make provision for that? There
 12 were licenses for customers to get source code, was
 13 there not?
 14 A. There was at least one that I know of.
 15 Q. When a customer would get source code, would
 16 it come on a CD or a digital tape as "Here is Dynix," or
 17 how would it be provided to a customer?
 18 A. I don't actually recall how the distribution
 19 was done.
 20 Q. Would it separate out, this part is Unix
 21 System V; this part is BSD; this is Sequent's changes,
 22 additions, modifications?
 23 A. The source code distributions that I recall
 24 were piecemeal, that as they -- for instance, it was a
 25 parallel programming library that was distributed. They

Page 103

1 were specific to -- the one that I recall very precisely
 2 is that in working with Oracle, we needed their help to
 3 modify a particular treatment so that Oracle would run
 4 better.
 5 Q. So --
 6 A. So it was a piece, is the short answer.
 7 Q. So is Oracle the only company that you can
 8 recall Sequent ever providing access to source code?
 9 MR. KAO: Objection to form.
 10 THE WITNESS: There probably were others.
 11 That's the one I recall.
 12 MR. HEISE: Q. So whenever Sequent would
 13 provide Dynix to customers, with the exception of Oracle
 14 and possibly a few others, it was always in object code
 15 format?
 16 A. The typical distribution was object, yes.
 17 Q. Would the object code format encompass all of
 18 Dynix, including the BSD portions, the Unix System V
 19 portions, and whatever changes, modifications,
 20 derivative works that Sequent created for Dynix?
 21 A. If your meaning is that, for instance, for the
 22 System V environment, there would be header files that
 23 are different and the object code to do the conditional
 24 symbolic link treatment was included in that object
 25 code, yes.

Page 104

1 Q. It would be one unified product that would be
 2 given to a customer?
 3 MR. KAO: Objection.
 4 MR. HEISE: Q. Wouldn't be in bits and
 5 pieces, would it?
 6 MR. KAO: Objection to form.
 7 THE WITNESS: Well, now there were optional
 8 components. I mean, you didn't get everything.
 9 MR. HEISE: Q. What would be an optional
 10 component?
 11 Well, first, you said, "... now there are
 12 optional components." Was that a change, or is that how
 13 it always was?
 14 A. No, it was always -- starting at the
 15 beginning, there was only one product; but --
 16 Q. Well, what are you refer- --
 17 A. -- after there were subsequent developments to
 18 enhance the product, then the customer didn't, for
 19 example, get the compiler if they didn't buy the
 20 compiler.
 21 Q. So is that what you're referring to when you
 22 talk about "optional components," the compiler?
 23 A. That's an example.
 24 Q. What else are you referring to when you say
 25 "optional components"?

DAVID P. RODGERS

Page 105

1 A. The parallel programming library was another
 2 example. I'm trying to recall now what else we made
 3 optional.
 4 Q. Can you think of anything else?
 5 A. No. I don't have a good recall of what was
 6 optional.
 7 MR. HEISE: Why don't we just take a
 8 couple-minute break. I need to . . .
 9 THE VIDEOGRAPHER: Going off the record. The
 10 time is 10:50.
 11 (Recess taken.)
 12 THE VIDEOGRAPHER: We are back on the record.
 13 The time is 11:03.
 14 MR. KAO: I think at the break Mr. Rodgers had
 15 the opportunity to review the software agreement with
 16 respect to the provision that he was looking for that
 17 was vague, and so he would like to clarify for the
 18 record.
 19 MR. HEISE: Q. Sure.
 20 A. I apologize. I was looking for an open
 21 parenthesis, and actually, there's no parenthetical note
 22 in the agreement.
 23 Q. What phrase are you looking for now?
 24 A. It's actually in -- I think it's 7.06(a). And
 25 the phrase is "at any time becomes available without

Page 106

1 restriction to the general public." That phrase.
 2 Q. And just so that this is all in context,
 3 you're identifying the phrase that "at any time becomes
 4 available without restriction to the general public"
 5 from Section 7.06(a) as something that you find to be
 6 unclear or ambiguous, as you sit here today. It's not
 7 something that you found unclear and ambiguous at the
 8 time that the agreement was entered into. Is that
 9 correct?
 10 A. No. What I was saying is that at the time, my
 11 interpretation of that phrase was based upon my
 12 experience with other confidentiality agreements. It's
 13 not explicit in this agreement, but it requires
 14 interpretation from context.
 15 Q. What was your understanding at the time
 16 leading up to the execution of this agreement what this
 17 phrase meant, based on your experience?
 18 A. As I stated, I think in response to Mr. Kao's
 19 question, it was either as publicly disclosed by the
 20 originator or the information is independently derived
 21 or becomes public through the result of a court
 22 proceeding.
 23 Q. I'm having trouble understanding, based upon
 24 what you've described as your understanding what
 25 similar-type phrases mean in your experience, what is

Page 107

1 unclear about this particular phrase as identified by
 2 you in 7.06 about becoming available without restriction
 3 to the general public.
 4 A. Your question was: Is this -- in essence,
 5 was: Where did I find this document vague? And my
 6 response was, in this particular respect, most such
 7 documents are more explicit and so you're forced to rely
 8 upon context or experience.
 9 Q. Is there anything else in this document
 10 besides what we've discussed in 1.04 and 7.06?
 11 A. I think we also covered 2.01, because it
 12 relies on the software product definition is open to
 13 interpretation. The paragraph itself is not vague, but
 14 the interpretation is open.
 15 Q. Okay. In reviewing paragraph 5 of your
 16 declaration, sir, we talked about much of this when
 17 Mr. Kao was examining you, and I just want to follow up
 18 on a few points.
 19 Here you indicate that you did not personally
 20 negotiate. In your mind, who was it that was personally
 21 negotiating this agreement?
 22 A. Roger Swanson.
 23 Q. Okay. So not the other executives you
 24 identified, Mr. Beck or Mr. Kasten. It was really Roger
 25 Swanson that was negotiating?

Page 108

1 A. Correct.
 2 Q. Are there any other Sequent employees besides
 3 Mr. Swanson, Mr. Beck, or Mr. Kasten with whom you
 4 reviewed these agreements before signing on behalf of
 5 Sequent?
 6 A. It's possible. I don't have a specific
 7 recollection.
 8 Q. Given that, would it be fair to assume you
 9 don't have a specific recollection of discussions with
 10 these other possible Sequent employees?
 11 A. That's accurate.
 12 Q. Okay. Hate to beat something to death, but
 13 occasionally you have to.
 14 Later on in this paragraph you state that you,
 15 quote, have personal knowledge of the parties'
 16 understanding of, and intent behind, the terms and
 17 conditions of the agreements.
 18 Could you tell us where you get your personal
 19 knowledge of AT&T's understanding of the terms and
 20 conditions of the agreements?
 21 A. It would have come through either the
 22 conference calls or a recounting of the consultations
 23 with AT&T coming from Roger and others.
 24 If I can be more specific, there are elements
 25 of the System V source code that, by the nature of the

DAVID P. RODGERS

Page 109

1 Unix operating environment, have to be exposed to the
 2 customer. And it's just how the system is built. The
 3 system uses text files for configuration. The system,
 4 as I've previously said, uses header files to bind
 5 things in.
 6 So we had to clarify the AT&T intent, because
 7 the definition of "software product" was so wide-open
 8 that no, they didn't mean make it unusable; they meant
 9 just don't expose, in bulk, the source code.
 10 Q. Well, besides the header files being allowed
 11 to be exposed, what else was discussed between Sequent
 12 and AT&T that could be exposed before you entered into
 13 this agreement?
 14 THE WITNESS: Again --
 15 MR. KAO: Objection to form.
 16 You can answer.
 17 THE WITNESS: I don't have a specific
 18 recollection. What I can recount to you is just that
 19 there are -- because Unix is built with a lot of text
 20 files that are meant to be interpreted or used as
 21 configuration information, there are elements of the
 22 operating system that are open, that just have to be
 23 open. That's the nature of the operating system.
 24 MR. HEISE: Q. Was it your understanding,
 25 then, that as a licensee of Unix System V, that you

Page 110

1 could provide or make public the header files of Unix
 2 System V or the text files of Unix System V?
 3 MR. KAO: Objection to form.
 4 You can answer.
 5 THE WITNESS: Yes, certain of those things are
 6 necessary.
 7 MR. HEISE: Q. That's what I'm trying to, you
 8 know, winnow down as to what you mean by that. Let's
 9 just stick with the header files, for example.
 10 What in the header files was discussed that
 11 could be made publicly available by Sequent without
 12 Sequent violating the terms of confidentiality?
 13 MR. KAO: Objection to form.
 14 You can answer.
 15 THE WITNESS: I don't have a specific
 16 recollection about what was discussed, but the header
 17 files, in their entirety -- certain header files, in
 18 their entirety, have to be exposed.
 19 MR. HEISE: Q. Which header files have to be
 20 exposed publicly from Unix System V?
 21 A. You're asking a question I can't answer from
 22 own knowledge.
 23 Q. Then how do you know that header files must be
 24 exposed from System V?
 25 A. As a person experienced using Unix.

Page 111

1 Q. Okay. What about text files? What text
 2 files, if any, were discussed between Sequent and AT&T
 3 that you understood could be publicly displayed from
 4 Unix System V?
 5 A. Again, we probably wouldn't have discussed it
 6 at the level of it's RC1.txt or something like that. We
 7 would have discussed it as the system configuration
 8 files or the disk table or things like that.
 9 Q. Okay. So besides header files and text files,
 10 was anything else discussed that you believe Sequent
 11 could publicly display from Unix System V and still be
 12 in complete compliance with the terms of the software
 13 agreement?
 14 MR. KAO: Objection to form.
 15 THE WITNESS: We would have also had to
 16 confirm that we could document known defects. When the
 17 product is distributed in binary form, you have to be
 18 able to tell your customers "Don't rely on the CPO-H
 19 parameter." And that would be a reference to a System V
 20 component, but it's referring to a defect in that
 21 component.
 22 MR. HEISE: Q. Well, would you provide them
 23 the source code for that component?
 24 A. No, we would not.
 25 Q. So there's still -- just telling a customer

Page 112

1 that gets it in only the binary, the 1s and 0s, that
 2 there's a defect in X portion is not identifying
 3 System V code or modification or derivative work, is it?
 4 A. Well --
 5 MR. KAO: Objection to form.
 6 THE WITNESS: That's where the definition of
 7 "software product" causes the problem, because it's so
 8 expansive, it includes the documentation, which includes
 9 the release notes, which includes the defect list. So
 10 that's where it gets tangled up.
 11 MR. HEISE: Q. Okay. So that was your
 12 concern, by way of example: Identifying for a customer
 13 that X has a defect is somehow violating the terms of
 14 the confidentiality clause as written in this agreement?
 15 A. If you interpret it --
 16 MR. KAO: Objection to form.
 17 THE WITNESS: -- the way it's written, yes,
 18 that could cause you a problem.
 19 MR. HEISE: Q. Any other examples that were
 20 discussed with AT&T besides this header files, text
 21 files, or defect notes?
 22 A. I wouldn't have been party to the whole of the
 23 conversation.
 24 Q. Did you ever see any correspondence between
 25 Sequent and AT&T regarding Sequent's belief that it

DAVID P. RODGERS

Page 113

1 could, in full compliance with the agreement, disclose
 2 header files, text files, or identify in defect notes?
 3 A. I did not.
 4 MR. KAO: Objection to form.
 5 MR. HEISE: Q. Did you see correspondence
 6 regarding Sequent's ability to reveal anything from
 7 System V other than what I just described? So that I'm
 8 not limiting it just to header files, text files, and
 9 defect notes.
 10 A. I did not.
 11 Q. These conversations that we've been discussing
 12 about the -- what you've characterized as the intent
 13 behind the terms and conditions of the agreements, were
 14 these conversations that took place before entering into
 15 this agreement?
 16 A. Yes.
 17 Q. Were there any conversations afterwards?
 18 A. I'm sure there were. I don't have a specific
 19 recollection.
 20 Q. So you cannot relate to us any of the
 21 conversations that took place after the agreement was
 22 executed regarding what you've described as the intent
 23 behind the terms and conditions of the agreements?
 24 A. No, not with any precision.
 25 Q. In paragraph 6, you start with:

Page 114

1 "It was my understanding that the licensing
 2 agreements that I executed were standard form
 3 agreements"
 4 From whom did you get that understanding?
 5 A. I don't know the name of the person. It would
 6 have been one of the AT&T representatives who portrayed
 7 the documents as a standard form license agreement.
 8 Q. So it was strictly a statement by someone. It
 9 wasn't that you had seen other AT&T agreements for
 10 software code?
 11 A. That's correct.
 12 Q. Continuing on in this declaration that you
 13 signed, in your second sentence you state:
 14 "The Software Agreement granted Sequent the
 15 right to use Unix software products,
 16 including source code, for its interna l
 17 business purposes."
 18 The way that this sentence was written and
 19 which you signed, you seem to indicate that Unix
 20 software products is something more than source code.
 21 A. Yes.
 22 Q. What did you understand the Unix software
 23 products to be besides source code?
 24 A. It also includes the object code for the
 25 unmodified System V, includes the documentation.

Page 115

1 Q. What documentation?
 2 A. There's a set of man pages, they're called,
 3 which document the commands.
 4 Q. Anything else?
 5 A. I'm sure there were release notes and various
 6 other pieces of descriptive information.
 7 Q. Anything else?
 8 A. Not to my specific recollection.
 9 Q. The phrase "for its internal business
 10 purposes," we talked about this earlier. That appears
 11 in Section 2.01; is that correct?
 12 A. Mm-hmm.
 13 Q. You have to say "yes" or "no" out loud.
 14 A. Sorry. Yes.
 15 Q. What did you understand "internal business
 16 purposes" to mean?
 17 A. Our intent -- I'll start with that -- was to
 18 use the System V materials to create the derivative
 19 work. How I interpret internal business purposes is for
 20 anything that might please the company. So we might
 21 have done a benchmark on a System V platform, which I do
 22 recall that we did. So it would have been anything we
 23 chose to do for our own education and satisfaction.
 24 Q. In other words, keep it within Sequent?
 25 A. Yes.

Page 116

1 MR. KAO: Objection to form.
 2 MR. HEISE: Q. You continue on that:
 3 "The agreement further provided [sic] Sequent
 4 the right to modify Unix software products
 5 and to prepare derivative works based upon
 6 such software products."
 7 A. Yes.
 8 Q. What did you understand it to mean that, as
 9 you say here, that Sequent had the right to modify Unix
 10 software products?
 11 A. So modifications can take two forms. They can
 12 either be an augmentation, the creation of a new
 13 capability; or they can be an adaptation, making
 14 something that would work except for some minor
 15 incompatibility. And I gave some examples earlier about
 16 symbol definitions and character sets and things like
 17 that as an example of the latter.
 18 Q. And if Sequent -- well, could you tell us
 19 what, if anything, from Unix System V that Sequent
 20 modified?
 21 A. In either sense?
 22 Q. In either sense of how you are defining
 23 "modification."
 24 A. Yes. The two examples that I can recall
 25 precisely are we modified the way in which Unix System V

DAVID P. RODGERS

Page 117

1 semaphores work in order to perform better. The
 2 semantics of a -- perhaps I should say that a semaphore
 3 is a software object that allows for multiple users of a
 4 single resource to coordinate their access to that
 5 single resource so that they don't collide.
 6 The meaning of a semaphore in System V is
 7 different than the meaning of a semaphore release in
 8 BSD, and the consequence of that difference in meaning
 9 is that System V is less efficient. So in the case of
 10 Sequent, we modified, in the sense of augmentation, the
 11 way that System V semaphores work so that they were as
 12 efficient as the Dynix operating system made them be.
 13 Q. Just to interrupt your train of thought for
 14 just one second, when you talk about the System V
 15 semaphores, is that also sometimes referred to as
 16 System V IPCs?
 17 A. IPC is one of the users of it, but that's
 18 not -- it's not the same.
 19 Q. So it's a subset of semaphores, or am I
 20 overstating?
 21 A. Interprocess communication is a bigger concept
 22 than -- than a semaphore.
 23 Q. Okay. I didn't mean to interrupt. So you
 24 were saying the things that you believed that Sequent
 25 modified from System V is modified the way that the

Page 118

1 semaphores work. Is there anything else?
 2 A. I'm sure there were many other things, but --
 3 and not least of which is adapting System V to run in a
 4 large-scale multiprocessor environment, to do resource
 5 management in a way that was more efficient with a large
 6 number of processors.
 7 A small diversion here. The common wisdom at
 8 the time was that -- driven largely by the mainframe
 9 world, was that multiprocessors stopped being more
 10 efficient than uniprocessors at about four processors,
 11 which was a true statement but only true because of the
 12 way that the operating systems were implemented.
 13 So coming back to your question, there were
 14 lots of modifications underneath the covers that allowed
 15 for the System V semantics to be expressed in an
 16 efficient way on a larger-scale multiprocessor.
 17 Q. Well, if I were to look at Dynix code, for
 18 example, how would I be able to determine the
 19 modifications of the System V semaphores that now
 20 appears in the Dynix code?
 21 A. The simple answer is I don't know. The more
 22 complicated answer is if the software developer was
 23 being a good boy that day, they would have commented it.
 24 Q. The comment would have indicated that "These
 25 semaphores are from System V, and I've changed it by

Page 119

1 doing X, Y and Z"?
 2 A. Yes.
 3 Q. Are there any other instances that you can
 4 identify for us where Sequent modified System V code for
 5 use in any of its Dynix products?
 6 A. I'm struggling to think of another example.
 7 But I would say, generally, there were also lots of
 8 adaptations where the system product code was modified
 9 in some largely cosmetic way to make it compatible with
 10 the compiler technology we were using. For a variety of
 11 reasons, the binary output format for System V and the
 12 binary output format for Berkeley are different in a
 13 incompatible way. And so we would have done
 14 adaptations, essentially low-value changes, so that the
 15 binary output formats could be compatible.
 16 Q. If I'm trying to determine all of the
 17 instances of modifications, meaning either new or
 18 adaptations, in Dynix that came from System V and a
 19 developer was not being a good boy that day, how would I
 20 go about determining anything else that was modified
 21 or -- modified from System V?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: First, I would say it would be
 24 an extremely difficult assignment because the
 25 modifications would have taken place over an extended

Page 120

1 period of time by many people.
 2 An approach that I would adopt, if I were
 3 given that assignment, is to see if I could recover the
 4 RCS logs. Sequent, like many companies, maintain a
 5 source control system called RCS; and I would attempt to
 6 recover, from some archival storage medium, the RCS
 7 logs.
 8 MR. HEISE: Q. In this same sentence that we
 9 were just discussing -- we just got done talking about
 10 the modification to the Unix System V. What was your
 11 understanding of the right to, quote, prepare derivative
 12 works based upon such products, meaning Unix System V?
 13 A. I think my interpretation is straightforward.
 14 It means incorporate some or all of the source code, the
 15 object code, or the documentation into a resultant
 16 source, object, or document.
 17 Q. Can you identify for us, in Sequent's Dynix
 18 products, any source, object, or documentation that was
 19 incorporated from Unix System V?
 20 A. I don't have specific knowledge.
 21 Q. Do you know whether, in fact, that did take
 22 place?
 23 A. Well, we can infer from the earlier discussion
 24 that certainly some of the parameterization files might
 25 have been incorporated and certainly some of the release

DAVID P. RODGERS

Page 121

1 notes might have been incorporated.

2 Q. If I were to attempt to determine the source,
3 object -- the source code, the object code, or the
4 documentation that was incorporated from System V into
5 some version of Dynix, how would I go about doing that?

6 MR. KAO: Objection to form; calls for
7 speculation.

8 THE WITNESS: That's a near impossibility.

9 MR. HEISE: Q. Well, your answer is
10 100 percent right, because for me to go about doing that
11 is an impossibility. So maybe I should rephrase the
12 question.

13 For you to determine what source code, object
14 code or documentation from Unix System V appears, either
15 in whole or in part, in Dynix, what steps would you have
16 to undertake?

17 MR. KAO: Objection to form.

18 THE WITNESS: First, let me say, I am not a
19 forensic expert in document comparison.

20 MR. HEISE: Q. Right.

21 A. So my first step would be to go find one.

22 But the techniques that are well understood
23 are that you scan the relevant material for repeating
24 patterns that are above chance probability. And that's
25 true for whether those repeating patterns are in source

Page 123

1 not a hundred percent sure what you mean by "check-ins."

2 A. Sorry.

3 Q. So if we could just take one step backwards.

4 If here is Version 1 of Dynix or Dynix/ptx,
5 one of the Sequent products, a programmer, you said,
6 checks in on the RCS log. What does that mean?

7 A. Let me start with a just a high-level
8 description.

9 Q. Okay.

10 A. As with, I'm sure, preparation of legal
11 documents, if you have more than one contributor, you
12 have the problem of synchronizing the contributions.

13 So in the case of source code, some tool -- in
14 the Sequent case, it was called RCS -- would provide a
15 mechanism where a copy would be checked out, meaning
16 removed from access by others, and that copy is then
17 assigned to a particular developer. They'll do whatever
18 changes or inspection, whatever modification they need
19 to make; and then they will restore the now modified
20 version to full access, to check it in to the source
21 control system. At that point that it's checked in,
22 it's now accessible to some other developer to make
23 their changes.

24 Q. Given that Sequent certainly had more than one
25 engineer, if, for example, you've checked out your --

Page 122

1 code or documents or object code.

2 Q. From the time that the software agreement was
3 executed in 1985, how many versions of Dynix or
4 Dynix/ptx did Sequent create?

5 A. I don't know a precise number. Once again, a
6 small number. Releases happened maybe once a year, but
7 I don't have a precise number.

8 Q. Not limiting your answer to release, how many
9 changes would occur between, let's say, Release 1 and
10 Release 2? And I'm just making up numbers just for
11 discussion purposes. Would there just be, you know, two
12 or three minor changes, or would it go through numerous
13 changes between Release 1 and Release 2 that the public
14 actually saw?

15 MR. KAO: Objection to form.

16 THE WITNESS: There would be probably
17 thousands of changes between releases.

18 MR. HEISE: Q. Would those changes either
19 appear in the programmer's notes in the code or on the
20 RCS, the control system?

21 A. The check-ins would occur in the RCS logs.
22 The developer might make small changes, a few changes,
23 or large changes, hundreds or even thousands of changes
24 between check-ins. There's no way to know that.

25 Q. You're going to have to forgive me because I'm

Page 124

1 and you're working on a particular version and then
2 Engineer No. 2 is also working -- I guess Engineer No. 2
3 cannot also be working on that same version that you
4 checked out.

5 A. Unfortunately, yes, they can. And herein lies
6 the bigger challenge, in that it's perfectly acceptable
7 for the developer who's checked it out to second a copy
8 to another developer, and then they take upon themselves
9 the task of reconciling any incompatible changes.

10 Q. Okay. So to be able to identify the changes
11 which would include incorporating System V source code
12 or object code, the first step, from what you've
13 described, would be get the RCS logs?

14 MR. KAO: Objection.

15 MR. HEISE: Q. Is that correct?

16 MR. KAO: Objection to form.

17 THE WITNESS: That would be my approach.

18 MR. HEISE: Q. And if you didn't have access
19 to the RCS logs, how would you go about determining what
20 Unix System V source code, object code, or documents
21 were incorporated, in whole or in part, into Dynix?

22 MR. KAO: Objection to form.

23 THE WITNESS: Again, I do not qualify as
24 someone --

25 MR. HEISE: Q. I understand.

31 (Pages 121 to 124)

DAVID P. RODGERS

Page 125

1 A. -- who can do this; but my approach, if that
 2 was your question, would be to get some sort of
 3 comparison tool -- and there are now some very
 4 sophisticated ones that are being used by universities
 5 to detect plagiarism -- identify suspect areas, and then
 6 have a software expert identify whether the similarity
 7 that arose in that -- as a result of that activity was
 8 as a consequence of the movement of source code or
 9 simply because the algorithm required that particular
 10 expression.
 11 Q. And just to put this in context, how many
 12 lines of code does Dynix -- a version of Dynix comprise?
 13 A. Oh, I have no idea today. I would guess that
 14 it's on the order of 1 to 2 million.
 15 Q. And what about the Unix System V code that
 16 you'd have to be comparing it against?
 17 A. System V.2 is actually pretty small, if you
 18 exclude the utilities and the --
 19 Q. Right.
 20 A. -- things like that.
 21 So it wouldn't be huge. It would be in the
 22 hundreds of thousands maybe.
 23 Q. And then you would have to get this computer
 24 program to do the comparison for you?
 25 A. Right.

Page 126

1 MR. KAO: Objection to form.
 2 THE WITNESS: And most importantly, you'd have
 3 to -- once you had suspect areas, you'd have to have
 4 someone who is a technical expert in the expression of
 5 algorithms say, "Yeah, it's for sure that that's a copy
 6 of the source code because it's written so badly" or
 7 some other reason; or "Oh, no. There's only one way to
 8 express that."
 9 And I gave an example earlier. There's really
 10 only a couple of ways to do digit production when you're
 11 printing, and so everybody's going to write the same
 12 code.
 13 MR. HEISE: Q. Right. That, of course, is a
 14 time-consuming task?
 15 A. Yes.
 16 MR. KAO: Objection to form.
 17 MR. HEISE: Q. With respect to Section 7 of
 18 your affidavit, you are making reference to
 19 Section 2.01.
 20 A. Let me -- yes, I am.
 21 Q. And in particular, you quote the portion that
 22 appears in the second sentence of 2.01.
 23 A. Yes.
 24 Q. I'm curious, in Section 2.01, you identify in
 25 the next sentence, you state:

Page 127

1 "I did not understand this language to give
 2 AT&T Technologies the right to assert
 3 ownership or control over modifications or
 4 derivative works prepared by Sequent, except
 5 to the extent that the licensed Unix software
 6 product was included in such modifications or
 7 derivative works."
 8 Rather than telling us what you did not
 9 understand this language to give AT&T Technologies the
 10 right to, what did you understand it, in fact, did give
 11 AT&T the right with respect to Sequent?
 12 MR. KAO: Objection to form.
 13 THE WITNESS: My understanding of AT&T's
 14 rights were to the ownership, authorship and ownership
 15 of the source code that was delivered to Sequent and, to
 16 such extent as that source code was carried forward in
 17 the derivative work, that ownership prevailed; the
 18 consequence being that they had a right to control the
 19 distribution of the portions which they owned.
 20 MR. HEISE: Q. Well, what I don't understand,
 21 sir -- and hopefully you can clear up for us -- is
 22 nowhere in Section 2.01 does the word "own" or
 23 "ownership" or "control" appear. So where is it that
 24 you're coming up with your understanding of what this
 25 language did not do?

Page 128

1 MR. KAO: Objection to form.
 2 THE WITNESS: The keyword in my reading of
 3 Section 2.01 of the document is in the last phrase:
 4 "... provided [that] the resulting materials
 5 are treated hereunder as part of the original
 6 SOFTWARE PRODUCT."
 7 MR. HEISE: Q. Right.
 8 A. So "treatment," again, is an open-ended word.
 9 Treated in what context?
 10 Q. What did you understand them to be treated?
 11 A. So my understanding of the word "treated" here
 12 was with regard to confidentiality, not with regard to
 13 intellectual property ownership.
 14 Q. So then what you understood on Section 2.01
 15 was that it was not discussing ownership but, instead,
 16 was stating that the right to use includes the right to
 17 modify and to prepare derivative works, providing the
 18 resulting materials are treated confidentially?
 19 MR. KAO: Objection to form.
 20 MR. HEISE: Q. Is that what you're telling
 21 us?
 22 A. Yes.
 23 Q. Did Sequent maintain in confidence its Dynix
 24 source code?
 25 A. To the best of my knowledge, we did.

DAVID P. RODGERS

Page 129

1 Q. Other than, I think you said, Oracle having a
 2 right to view Dynix's source code -- first, when Oracle
 3 got the right to view Dynix source code, did it do so
 4 pursuant to a license from Sequent?
 5 A. It was -- I can't say that it was a license
 6 agreement. I'm sure there was a confidentiality
 7 agreement.
 8 Q. Do you know whether Oracle or any other
 9 company that was allowed to see Sequent's Dynix code was
 10 also required to get a source viewing license from AT&T
 11 or any of its successors, including SCO?
 12 MR. KAO: Objection to form.
 13 THE WITNESS: I don't know that with
 14 certainty. I recall anecdotally that we did check with
 15 other companies with whom we partnered to do development
 16 that they had an AT&T license.
 17 MR. HEISE: Q. So, to your knowledge, Dynix
 18 code was always maintained in confidence?
 19 A. To the best of my knowledge.
 20 Q. Do you know whether at any point in time Dynix
 21 code has not been maintained in confidence?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: Now you have to be specific with
 24 respect to which portion of Dynix code.
 25 MR. HEISE: Q. Any portion of Dynix code.

Page 130

1 A. And so as I've previously explained, certain
 2 elements of Dynix which were wholly created by Sequent
 3 have been made available. And as a consequence of the
 4 design of the operating system, specific pieces of the
 5 Dynix operating system are routinely made public.
 6 Q. If we could, I'd like to address those
 7 separately.
 8 You said certain elements of Dynix code have
 9 been made publicly available. What elements of Dynix
 10 code have been made publicly available?
 11 A. The one that I explicitly know about is the
 12 parallel programming library.
 13 Q. How was that made publicly available?
 14 A. There was a little distribution kit made, and
 15 there was a little handbook published.
 16 Q. And when was that done?
 17 A. A long time ago. Maybe '85, '84 sometime.
 18 Q. So sometime prior to entering into the
 19 agreement with AT&T?
 20 A. I don't know the timing.
 21 Q. Well, if it was '84, it would have been
 22 before; if it was '85, it would have been right around
 23 that time.
 24 A. Yeah.
 25 Q. Are you aware of any other elements of Dynix

Page 131

1 code that have been made publicly available besides this
 2 distribution kit?
 3 A. Not explicitly.
 4 Q. Do you know whether any portions of Dynix have
 5 been made available publicly by contribution of it to
 6 Linux?
 7 A. I don't know that from own knowledge. I've
 8 heard that reported.
 9 Q. From whom have you heard it reported?
 10 MR. KAO: I guess I would caution you, to the
 11 extent you learned things from counsel, you're not to
 12 disclose that; but if you learned such information from
 13 anywhere else --
 14 THE WITNESS: Yeah.
 15 MR. KAO: -- you can testify to that.
 16 THE WITNESS: I've seen some Web article, or
 17 something like that, that talked about various
 18 contributions.
 19 MR. HEISE: Q. Other than the distribution
 20 kit, some Web article that you may have seen regarding
 21 Dynix code being contributed to Linux, are you aware of
 22 any other instance in which Dynix code was made publicly
 23 available?
 24 A. None to my explicit knowledge.
 25 Q. Why would -- why was it important to Sequent

Page 132

1 to keep the Dynix code confidential?
 2 MR. KAO: Objection to form.
 3 THE WITNESS: At the time -- times, of course,
 4 change; but at the time, Sequent had a performance and a
 5 stability advantage over its competitors because of the
 6 way in which we implemented the parallel processing and
 7 the resource allocation. And like all trade secrets, I
 8 mean, it has some value at the time.
 9 Eventually, as happens in the computer
 10 industry, somebody figures out how to do it in a nother
 11 way and then you're done.
 12 MR. HEISE: Q. Right. Now, you also
 13 indicated that you thought certain portions of Dynix,
 14 based upon its design, were routinely made publicly
 15 available. What specifically are you referring to?
 16 A. I'm just referring to the release notes which
 17 describe defects, the configuration files, the header
 18 files, as we have talked about.
 19 Q. You're not including source code in that?
 20 A. Not including algorithmic source.
 21 Q. Now, with respect to 2.01 and your
 22 understanding that it meant to keep the resulting
 23 materials as confidential, I still don't understand how
 24 it is that from that you are indicating your view that
 25 you did not understand this language to cover subjects

DAVID P. RODGERS

Page 133

1 such as ownership and control that are nowhere mentioned
 2 in there.
 3 MR. KAO: Objection to form.
 4 THE WITNESS: Well, I think that's my point,
 5 is that the word "treated" is pretty open-ended.
 6 MR. HEISE: Q. And I understand that's your
 7 statement and that you've said you believe that to mean
 8 to be covering confidential --
 9 A. Right.
 10 Q. -- or confidentiality requirements.
 11 A. So if you're asking how did I come to that
 12 understanding of the word "treated," it was through a
 13 conversation with the AT&T guys.
 14 Q. Tell us about that conversation.
 15 A. You know, I don't think I can recount it word
 16 for word, but it would have been along the lines of
 17 "You're certainly not trying to capture my source code."
 18 And it's not something I would have done or
 19 even could have done.
 20 Q. Well, when you say "capture," are you talking
 21 about that AT&T indicated to you that it would not be
 22 claiming ownership in --
 23 A. Yes.
 24 Q. -- Dynix?
 25 A. That's correct.

Page 134

1 MR. KAO: Objection to form.
 2 MR. HEISE: Q. Do you understand there to be
 3 a difference between ownership and control?
 4 A. There can be.
 5 Q. What's your understanding of the difference
 6 between ownership and control?
 7 A. I mean, to own something means that I have the
 8 right to dispose of it as I choose. To control
 9 something -- examples might be restrictive covenants in
 10 a deed or something like that -- simply means that I
 11 have the ability to restrain certain actions.
 12 Q. Would you agree that the ability to restrain
 13 certain actions would also include the right to dictate
 14 what an owner of the property can do with that property?
 15 MR. KAO: Objection to form.
 16 THE WITNESS: As in my example, yes.
 17 MR. HEISE: Q. And included in your example,
 18 would it be that the fact that somebody owns something,
 19 they can be restricted in disposing of what it is that
 20 they own?
 21 MR. KAO: Objection to form.
 22 THE WITNESS: It's possible.
 23 MR. HEISE: Q. Now, you conclude in
 24 paragraph 7 that you never -- I quote:
 25 "I would never have signed an agreement that

Page 135

1 would grant ownership or control to AT&T
 2 Technologies"
 3 And then you continue on. Is this a statement
 4 on your part as to what you would do, or is this a
 5 statement of Sequent's corporate position?
 6 MR. KAO: Objection to form.
 7 THE WITNESS: I think it can be interpreted
 8 both ways; that is, acting on behalf of Sequent, I was
 9 not authorized to bargain away the intellectual property
 10 rights of Sequent's investment of years in the Dynix
 11 source code.
 12 As an individual -- and I hope that, you know,
 13 I wasn't being made a fool by the AT&T lawyers. As an
 14 individual, I did not interpret this language and the
 15 words of explanation that were given to me as meaning
 16 that AT&T had any -- was making any attempt to take
 17 control of my source code.
 18 MR. HEISE: Q. Did you understand, when you
 19 viewed the word "treated" as restricting
 20 confidentiality, that that was going to place
 21 restrictions on your source code?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: Yes, with regard to disclosure.
 24 MR. HEISE: Q. And in fact, from what you've
 25 described to us, other than what you may have read in a

Page 136

1 Web posting, Dynix -- or excuse me -- Sequent did not
 2 make public Dynix that contained Unix System V at any
 3 point in time?
 4 MR. KAO: Objection to form.
 5 THE WITNESS: Not to my knowledge.
 6 MR. HEISE: Q. Based upon what we've
 7 discussed so far, I'd like to clarify your understanding
 8 of Dynix.
 9 Is it your understanding, as you sit here
 10 today, that Dynix or Dynix/ptx contains some or no part
 11 of Unix System V?
 12 A. First, let me state, I don't know --
 13 Q. Okay.
 14 A. -- today. I have no idea.
 15 Q. Well, how about let's then take you back to a
 16 time when were you there last in 1996.
 17 A. In the past, I think I can state with
 18 reasonable confidence that Dynix did not contain any
 19 System V source code --
 20 Q. Okay.
 21 A. -- given its derivation.
 22 I can be reasonably certain that Dynix/ptx had
 23 some elements of System V source code embodied in it; in
 24 particular, some of the utilities.
 25 Q. Would you agree then that with Dynix/ptx

DAVID P. RODGERS

Page 137

1 embodying or containing Unix System V, that it was
 2 subject at least to this confidentiality restriction
 3 that we've been discussing?
 4 A. Those portions --
 5 MR. KAO: Objection to form.
 6 THE WITNESS: -- which were derived from
 7 System V, yes.
 8 MR. HEISE: Q. And we've already discussed
 9 about how you would, at least according to you, go about
 10 and identify those, quote, portions of Dynix.
 11 A. Yes.
 12 Q. Why is it that you believe it only restricts
 13 those portions as opposed to Dynix/ptx?
 14 A. Because in my interpretation, the restrictions
 15 apply to those things which are owned by AT&T and do not
 16 apply to those things which are owned by Sequent.
 17 Q. And according to the way that you're
 18 interpreting this, only if you found actual System V
 19 source code, that's the only thing that could not be --
 20 that had to be treated confidentially?
 21 A. Essentially. We've talked earlier about the
 22 methods and procedures issue as well.
 23 Q. We're going to get to that, but I'm trying to
 24 just follow the format of your --
 25 A. Yeah.

Page 138

1 Q. Okay. When you state that you don't know
 2 whether Dynix is a derivative work based on Unix
 3 System V, what's preventing you from being able to make
 4 that determination?
 5 A. And you're now saying Dynix or Dynix/ptx?
 6 Q. Well, I'm going to -- I'll clarify it as
 7 Dynix/ptx.
 8 A. Okay.
 9 Q. And I guess what I should do -- I'll let you
 10 answer the question as to Dynix/ptx; then I'll ask you
 11 another question.
 12 A. Okay. Dynix/ptx is almost certainly a
 13 derivative work of Unix System V.
 14 Q. In paragraph 8 of your declaration, sir, you
 15 start the sentence with "As I understood the Software
 16 Agreement between Sequent and AT&T Technologies . . .,"
 17 and then you continue on. I just want to focus on your
 18 first part there of --
 19 A. Yes.
 20 Q. -- "as I understood . . ."
 21 Is that from your reading of the agreement
 22 only, or is that from some other sources?
 23 A. It relies upon my conversations with the AT&T
 24 individuals.
 25 Q. In paragraph 9 is when you first used the word

Page 139

1 "Dynix." So I know you talked about this a little bit
 2 earlier, so I just want to see if I can make sure the
 3 record's clear.
 4 Dynix starts out, and then after Unix System V
 5 is licensed, Dynix/ptx is created, but at the same time,
 6 they're both being sold. And eventually, does Dynix
 7 cease or does it just -- what happens?
 8 MR. KAO: Objection to form.
 9 THE WITNESS: Both products continue on.
 10 Ultimately, the marketplace for Dynix/ptx was larger
 11 than the marketplace for Dynix for Sequent.
 12 MR. HEISE: Q. Given that statement, that the
 13 Dynix/ptx became the larger marketplace, did there come
 14 a point in time when Dynix just stopped being worked on
 15 or sold and that it was strictly Dynix/ptx?
 16 MR. KAO: Objection to form.
 17 THE WITNESS: I don't know that from own
 18 knowledge. I can't speculate. I don't know.
 19 MR. HEISE: Q. In terms of just trying to
 20 give us a broad view of Dynix and Dynix/ptx, when
 21 Dynix/ptx is where the marketplace was going for the
 22 high-end business computing, what is the relative ratio
 23 between how much of Sequent was devoted to Dynix/ptx
 24 versus its former product of Dynix?
 25 MR. KAO: Objection to form.

Page 140

1 THE WITNESS: Certainly within development,
 2 the bulk of the resources would have been working on
 3 Dynix/ptx because it was under development.
 4 MR. HEISE: Q. Right.
 5 A. And Dynix itself would have been getting, of
 6 course, bug fixes and customer support attention from
 7 development and probably enhancement. As I've
 8 previously described, the hardware platform evolved over
 9 time. So with each new hardware platform, then Dynix
 10 would get revisited to test it, make it compatible, take
 11 advantage of any new hardware.
 12 Q. Would it be fair to say that more than
 13 50 percent of the company's revenues, expenses,
 14 resources, and the like were devoted to Dynix/ptx once
 15 that was the product line that was being developed by --
 16 MR. KAO: Objection.
 17 MR. HEISE: Q. -- Sequent?
 18 MR. KAO: Excuse me. Objection to form.
 19 THE WITNESS: After some period of time, I
 20 would say yes to revenues. Expenses, I would say no to.
 21 SG&A was always bigger. And so it depends.
 22 MR. HEISE: Q. Okay. That's a fair response.
 23 But I think you've made clear Dynix/ptx was on the
 24 upswing and Dynix without the ptx was on the downswing.
 25 Is that --

DAVID P. RODGERS

Page 141

1 MR. KAO: Objection to form.
 2 MR. HEISE: Q. -- an accurate statement?
 3 A. It was certainly not being evolved, yeah.
 4 Q. In terms of your role as the vice president of
 5 engineering, we know that you at least signed one or
 6 more license agreements.
 7 A. Yes.
 8 Q. What else was encompassed in your role? What
 9 I'm getting at is to find out what, if any, work you
 10 were doing on Dynix or Dynix/ptx.
 11 A. Okay. Let me answer the second question
 12 first --
 13 Q. Okay.
 14 A. -- which is that any work I might have done on
 15 Dynix/ptx would have been limited to writing a utility
 16 program or editing a text file for English grammar. You
 17 would certainly not consider me a contributor to
 18 Dynix/ptx in any way.
 19 Q. Okay.
 20 A. And I referred to myself as the programmer of
 21 last resort.
 22 With regard to my duties, my job was
 23 essentially to maintain the organization. So to recruit
 24 new engineers, to sustain the engineers that we did
 25 have, to make sure that they received adequate training,

Page 142

1 that there were project plans in place, to monitor the
 2 project development schedules, to meet with customers,
 3 and to act as a part of the sales process, and to -- as
 4 a member of the executive team, to make strategic
 5 decisions.
 6 MR. HEISE: Two things that are coming up
 7 right now. One, we need it take a tape change break.
 8 THE WITNESS: Okay.
 9 MR. HEISE: And also, I need to check out of
 10 the hotel.
 11 THE WITNESS: Okay.
 12 MR. KAO: All right.
 13 THE WITNESS: All right.
 14 MR. HEISE: If we could just go ahead and --
 15 MR. KAO: Why don't we just --
 16 MR. HEISE: -- make this a lunch break,
 17 MR. KAO: -- go off the record then.
 18 MR. HEISE: Yeah.
 19 THE VIDEOGRAPHER: This marks the end of Tape
 20 No. 2 in the deposition of David Rodgers.
 21 We're going off the record. The time is
 22 11:59.
 23 (Luncheon recess taken at 11:59 a.m.)
 24 --oOo--
 25

Page 143

1 AFTERNOON SESSION 1:02 P.M.
 2 (Mr. James not present.)
 3 THE VIDEOGRAPHER: We're back on the record.
 4 This marks the beginning of Tape No. 3 in the
 5 deposition of David Rodgers. The time is 1:02.
 6 MR. HEISE: Q. Sir, just continuing on a
 7 little bit past where we left off, if I can direct your
 8 attention to Section 11 of your declaration.
 9 A. Okay.
 10 Q. You identified this as the confidentiality
 11 clause, and I think you indicated earlier that this was
 12 one of the areas -- although I may be misspeaking, so
 13 please feel free to correct me -- this was one of the
 14 areas that you thought had ambiguity in it or was not
 15 clear at the time that you signed the agreement?
 16 A. Yes, particularly with regard to methods or
 17 concepts.
 18 Q. Okay. Was there anything in Section 7.06 at
 19 the time that you were discussing and ultimately
 20 executed the agreement that you thought was unclear or
 21 ambiguous other than the section pertaining to methods
 22 or concepts?
 23 A. No. Again, this paragraph is clear in its own
 24 sense, although it relies upon the software products
 25 definition that has some vagueness to it.

Page 144

1 Q. Right. But I'm just focusing you on anything
 2 else in 7.06 that you thought was unclear at the time
 3 that you were negotiating or people were negotiating and
 4 you ultimately executed the software agreement besides
 5 what you've identified as methods or concepts and now
 6 referring back to the definition of "software products"
 7 from Section 1.04. Anything else?
 8 A. No. That's it.
 9 Q. Would you agree, then, sir, that the
 10 restriction was with respect to all parts of the
 11 software products subject to this agreement and not just
 12 some parts?
 13 A. Can you say that --
 14 MR. KAO: Objection to form.
 15 THE WITNESS: -- in a different way?
 16 MR. HEISE: Q. Sure. In reviewing
 17 Section 7.06, it states that:
 18 "[The] LICENSEE," meaning Sequent, "agrees
 19 that it shall hold all parts of the SOFTWARE
 20 PRODUCTS subject to this Agreement in
 21 confidence for AT&T."
 22 Based upon that language, would you agree that
 23 Sequent was obligated to hold all parts of the software
 24 products subject to this agreement in confidence for
 25 AT&T as opposed to just some or -- as opposed to some

DAVID P. RODGERS

Page 145

1 parts?
 2 MR. KAO: Objection to form.
 3 THE WITNESS: Okay. So as I've previously
 4 said, with the comprehension that the parts of the
 5 software product, meaning the source code, the
 6 algorithmic portion of the source code, but not with
 7 regard to documentation, some documentation elements,
 8 some scripting elements.
 9 So the short answer is no, I don't agree.
 10 MR. HEISE: Q. Okay. So that's going back to
 11 your view that the definition of Section 1.04 and
 12 software products is not clear to you?
 13 MR. KAO: Objection to form.
 14 THE WITNESS: Well, I made an assumption at
 15 the time, clarified by conversation, about what was and
 16 was not in scope.
 17 MR. HEISE: Q. And we've talked about that --
 18 A. We've talked about that.
 19 Q. -- at length.
 20 And do you have anything further to add as to
 21 what you assumed or decided or heard was encompassed in
 22 software products that we've not already discussed this
 23 morning?
 24 A. We've covered it.
 25 Q. With respect to this statement in

Page 146

1 Section 7.06, that it includes methods and concepts as
 2 being something that will not be disclosed, who did you
 3 speak to at AT&T that indicated to you that that clause
 4 of restricting methods and concepts does not apply to
 5 Sequent?
 6 A. Again, I don't recall the name of the
 7 individual. It was whoever Roger had on the call.
 8 And as I think I mentioned earlier, I'm also
 9 relying upon my knowledge at the time that many of the
 10 methods and concepts for Unix were already disclosed by
 11 other -- other means.
 12 Q. Well, did you or anyone at Sequent attempt to
 13 modify the agreement so that it no longer included the
 14 phrase "including methods or concepts utilized therein"
 15 so that it would be clear that Sequent was not, in fact,
 16 restricted in its use of the methods and concepts of
 17 Unix System V?
 18 A. Not to my knowledge.
 19 MR. KAO: Objection to form.
 20 THE WITNESS: Sorry.
 21 MR. KAO: Give me a chance to object.
 22 THE WITNESS: Not to my knowledge. We were
 23 relying upon the assurances of AT&T folks on how they
 24 were going to enforce the language.
 25 MR. HEISE: Q. And those assurances -- I

Page 147

1 think we've covered this -- were never in writing
 2 regarding this methods and concepts clause; is that
 3 correct?
 4 A. Not to my knowledge.
 5 Q. And the reason that you believed that the
 6 methods and concepts could not be restricted or was not
 7 subject to the restrictions of this agreement was
 8 because they appeared in the public?
 9 A. Many of them, yes, had already appeared in
 10 public.
 11 Q. Okay. Could you identify for us the methods
 12 and concepts of Unix System V that publicly appeared
 13 that were used in Dynix/ptx?
 14 MR. KAO: Objection to form.
 15 THE WITNESS: I can give you an example. I
 16 certainly can't enumerate all of them.
 17 MR. HEISE: Q. If you could just tell us all
 18 that you can identify for us.
 19 A. So, for example, the notion of a treed
 20 directory structure, which is fundamental to Unix, is
 21 well documented in lots of literature. The concept of
 22 an I-node as a way of traversing a directory tree. The
 23 concept of dynamic memory allocation. The concept of a
 24 process identifier.
 25 Q. Did you say a process identifier?

Page 148

1 A. Process identifier, PID. I'm trying to think
 2 of -- the concept of a file handle.
 3 There are a whole series of concepts
 4 associated with Unix around the file system, basically
 5 treats the file system as an extended text string
 6 without any real delimiters.
 7 Q. Anything else, sir?
 8 A. I'm running out of -- you know, if you get me
 9 long enough, I might come up with some more, but . . .
 10 Very many of the concepts are documented and
 11 well explained in the text that were available at the
 12 time and certainly in text available since.
 13 Q. Okay. I noticed in introducing each of these
 14 categories, you identified them as the concept, for
 15 example, of a treed structure or as an I-node.
 16 What about the method of actually implementing
 17 that concept? Was that also publicly displayed in these
 18 texts and other public forum that you --
 19 A. In many cases, yes.
 20 Q. So you could see the actual manner in which
 21 the source code was written for I-nodes in System V in
 22 these texts?
 23 A. Right. You would typically find a fragment of
 24 C language programming that would show tree traversal or
 25 something like that.

DAVID P. RODGERS

Page 149

1 Q. And when you talk about a fragment, what do
 2 you mean by "a fragment"?
 3 A. It will be less than all of a source module,
 4 but the core lines of code in a source module that are
 5 actually doing the work.
 6 Q. Why would it be limited to merely a fragment
 7 in these texts as opposed to the entire file?
 8 MR. KAO: Objection to form. It calls for
 9 speculation.
 10 MR. HEISE: Q. You can answer.
 11 MR. KAO: You can answer the question.
 12 THE WITNESS: Because there's a lot of chaff
 13 in a source module. There's usually about a dozen lines
 14 of commentary that have a copyright notice and
 15 authorship indication and, you know, a few comments
 16 about what the intent of the module is.
 17 And very often, particularly if you're just
 18 trying to be illustrative, you don't need to provide all
 19 the symbol definitions. Those are things you can
 20 establish by context as you're reading the code.
 21 MR. HEISE: Q. So when you've been talking
 22 about fragments, it's eliminating copyright notice,
 23 authorship, comments, and definitional portions of that
 24 particular file?
 25 A. Yes.

Page 150

1 MR. KAO: Objection to form.
 2 MR. HEISE: Q. Is there anything else that
 3 would be eliminated from these fragments besides actual
 4 source code?
 5 MR. KAO: Objection to form. Are we talking
 6 about the context of these books that he's talking
 7 about?
 8 MR. HEISE: He's been talking about these
 9 methods and concepts that appear publicly in books.
 10 MR. KAO: Okay.
 11 MR. HEISE: And I'm just trying to establish
 12 what it is that he believes is in these books and what
 13 isn't.
 14 Q. So you've identified what you've been using
 15 the term "fragments" of it appear. And a fragment, at
 16 least as I understand it from you, is the source code,
 17 taking away the copyright, the authorship, comments, and
 18 definitional section.
 19 Is there anything else that does not appear in
 20 these fragments, or are you telling us that if you strip
 21 all that, you're left with all the source code that
 22 appears in a given file?
 23 A. Now it will depend upon the example and the
 24 author. Sometimes the author will use ellipses,
 25 omitting a repetitive section of the code. So, for

Page 151

1 example, if the code is a case statement, where it wants
 2 to treat -- where the code is intended to treat a series
 3 of values -- you know, let's say it's the digits from 0
 4 to 9 -- the author might show the code for digit 0,
 5 digit 1, skip all the digits up to 9, and just show the
 6 code for digit 9.
 7 Q. If all of the necessary information appears in
 8 these public texts, why would a company like Sequent
 9 bother to enter into a license to get what's otherwise
 10 publicly available?
 11 MR. KAO: Objection to form.
 12 THE WITNESS: First of all, the presumption
 13 that all of the code appeared in the text is incorrect.
 14 It doesn't.
 15 MR. HEISE: Q. Was there any part of the code
 16 that was necessary that did not appear in the text?
 17 MR. KAO: Objection to form.
 18 THE WITNESS: Many parts.
 19 MR. HEISE: Q. With respect to the read-copy
 20 update at Sequent, were you -- were you at Sequent when
 21 that technology was written?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: I think not.
 24 MR. HEISE: Q. Do you have any understanding
 25 about read-copy update, how it interfaces with a kernel,

Page 152

1 where it's located, anything like that; or is that,
 2 since it was not during your tenure, something that you
 3 are not familiar with?
 4 A. I'm not familiar with.
 5 Q. Fair enough. How about NUMA, Non-Uniform
 6 Memory Access? Were you involved in the authorship or
 7 creation of that at Sequent?
 8 A. In the sense of architecture, yes. In the
 9 sense of coding, no.
 10 Q. In terms of architecture, is it your
 11 understanding that this NUMA technology operates inside
 12 the kernel?
 13 A. NUMA implementation appears at many layers.
 14 It appears at the hardware layer, requiring some
 15 specific behaviors of the cache and the bus. It appears
 16 in the operating system that requires some specific
 17 behaviors with regard to memory allocation and process
 18 dispatch and I/O handling. It appears occasionally in
 19 certain kinds of applications, such as database
 20 applications, that need to be cognizant of the
 21 underlying architecture.
 22 Q. The NUMA technology, was that in Dynix/ptx?
 23 A. It was eventually in Dynix/ptx. It wasn't
 24 initially in Dynix/ptx.
 25 Q. Is started in Dynix, is your understanding?

DAVID P. RODGERS

Page 153

Page 155

1 A. That's a harder question. I don't know, is
 2 the best answer.
 3 Q. Does NUMA appear in Dynix/ptx?
 4 A. NUMA support certainly appears in Dynix/ptx.
 5 Q. Well, when you talked about NUMA appearing at
 6 various levels, hardware, operating system, at the
 7 operating system level, does it appear in the kernel?
 8 A. It will appear principally in the kernel.
 9 Q. But with the NUMA that appears, I think you
 10 said, principally in the kernel at the operating system
 11 level, how does it interface with the existing kernel?
 12 MR. KAO: Objection to form.
 13 THE WITNESS: Not clear what your question is.
 14 MR. HEISE: Q. Does the kernel have to be
 15 modified in any way to accept the NUMA code or
 16 technology that's being incorporated?
 17 MR. KAO: Objection to form.
 18 THE WITNESS: Yes.
 19 MR. HEISE: Q. When you talk about -- I think
 20 you used this word earlier, a code module? Is that my
 21 making things up, or --
 22 A. No.
 23 Q. -- is that something that you said earlier?
 24 A. Right.
 25 Q. Okay. Trying to get an understanding on your

1 part of the module could be completely different from
 2 one Unix to the next.
 3 So if you looked at it from the top, they all
 4 look like malloc. If you look at it from the bottom,
 5 they all look different.
 6 Q. So using memory allocation as an example of a
 7 code module, was that memory allocation from Unix
 8 System V incorporated into Dynix/ptx, to your knowledge?
 9 A. I don't know, is the accurate statement. My
 10 guess is not.
 11 Q. Okay. Can you identify for us a code module
 12 that was used in Dynix/ptx?
 13 MR. KAO: Objection to form.
 14 THE WITNESS: Not specifically.
 15 MR. HEISE: Q. Well, then let's talk about
 16 code module X.
 17 A. Okay.
 18 Q. If we have code module X that is put into
 19 Dynix/ptx, what is your understanding as to what Dynix
 20 can do with code module X that came from Unix System V?
 21 MR. KAO: Objection to form.
 22 THE WITNESS: Okay. Whatever the module might
 23 be, it will have some application programming interface;
 24 it will have some exposed symbol, which is the way in
 25 which it's called; and it'll have some parameters, in

Page 154

Page 156

1 view of what did or did not have to be maintained in
 2 confidence or could be made public or disposed of,
 3 et cetera. If -- when you're using the phrase "code
 4 module," could you tell me what you mean by that? Is
 5 that an entire file? Is it a part of a file? I'm just
 6 trying to get a handle on that.
 7 A. First of all, it would almost certainly be a
 8 file. It might be multiple files, but it would be at
 9 least one file.
 10 And under most circumstances, a module is a
 11 piece of code that implements a function. It's not
 12 complete by itself. It has to be bound with other
 13 functions and bound into the overall operating
 14 environment, but it would implement a specific function.
 15 So, for example, malloc, which is the way that
 16 memory is allocated in the Unix operating environment,
 17 is a module that appears in lots of Unixes; but the
 18 implementation of malloc, which is give me a piece of
 19 virtual memory, will make some calls on lower-level
 20 system services that will actually do the allocation of
 21 physical memory, the backing store -- meaning the disk
 22 that keeps the physical memory when it's not in the main
 23 memory -- allocate page table entries, potentially makes
 24 notice to -- of the kind of usage of the memory
 25 allocation. If it's for I/O, it's special. And that

1 most cases, that are specified in the documentation.
 2 MR. HEISE: Q. So if code module X is
 3 incorporated into Dynix/ptx from System V, is it true
 4 that it contains then Unix System V code in that module?
 5 MR. KAO: Objection to form.
 6 THE WITNESS: It's possible.
 7 MR. HEISE: Q. Okay.
 8 A. It's not required.
 9 Q. Okay. So just by way of example, then, if we
 10 did have code module X that has Unix System V source
 11 code in it and that is put into Dynix, is it your
 12 understanding that the Unix System V code that appears
 13 in that code module X must be maintained in confidence?
 14 A. Yes, if it were copied from the System V
 15 source.
 16 Q. What if the -- in the process of taking the
 17 Unix System V code module X and putting it into Dynix,
 18 would that require that additional lines of code be
 19 written so that it would function with the Dynix/ptx
 20 system?
 21 A. Quite likely.
 22 Q. Okay. That's what I assumed, but I just
 23 wanted to be sure.
 24 A. And just by completeness, if it's a module
 25 that doesn't make sense in the Dynix/ptx context, you

DAVID P. RODGERS

Page 157

1 might subtract lines of code. That is, it might simply
2 return successful.

3 Q. Okay. In that situation where, however, you
4 have to add lines of code to this code module X so that
5 it functions properly with Dynix/ptx, what is your
6 understanding as to what Sequent's obligations are to
7 maintain in confidence the source code? In the example
8 I just gave you, you've got source code that Sequent
9 wrote so that it would work, and then you've got the
10 original Unix System V source code that appears in code
11 module X.

12 A. Right. On the presumption that it's a single
13 file, if it were a mix of Unix System V code and
14 Sequent-authored code, most likely the entirety would be
15 held in confidence because it would be hard to expose
16 only the changed lines.

17 Q. Okay. What about if, after going through
18 numerous changes because of programmers dealing with it
19 through Version 1 to Version 2, the Unix System V code
20 lines don't appear as they did in Unix System V? What,
21 if anything, is Sequent obligated to do now with that
22 code module X?

23 MR. KAO: Objection to form.

24 THE WITNESS: In my reasoning, if the function
25 X is now performed in some other way, including the null

Page 158

1 way, then it ceases to have any System V content and
2 it's disclosable at the choice of Sequent, of course.

3 MR. HEISE: Q. So if the lines get rewritten
4 so that they no longer appear as they were in Unix
5 System V, at that point Sequent is no longer obligated
6 to maintain it in confidence?

7 A. Now it's on a fine point. That is, you know,
8 did you just change A to B? I wouldn't consider that to
9 be a sufficient difference. If the module was rewritten
10 to implement the function with a new algorithm and there
11 were no lines of the original code, then I would say
12 yes.

13 Q. Even though it's performing the same function
14 as originally?

15 A. Right. The functions are specified by the
16 operating system interface.

17 Q. Do you make any distinction in this example as
18 to whether we're taking about C code versus header file
19 code?

20 MR. KAO: Objection to form.

21 THE WITNESS: Yes. I mean, again, you can
22 have the same either huge difference or small difference
23 as the possibility. But because header files generally
24 have to be exposed in order to allow use, they're
25 treated differently.

Page 159

1 MR. HEISE: Q. If there was a code module
2 that -- let's call it code module Y, that contains
3 structures and sequences and organization as it appears
4 in System V, is that, according to your understanding of
5 the software agreement, restricted in any manner?

6 MR. KAO: Objection to form.

7 THE WITNESS: It would depend. If the reason
8 for the similarity were essentially that there wasn't
9 any other way to do it, then it would hinge on who
10 authored it and when. If the reason the similarity was
11 there was because it was just copied, then yeah, I would
12 agree that that would be subject to the constraints.

13 MR. HEISE: Q. So if you have code module Y
14 that has structure, sequence, and organization that came
15 from Unix System V and it's not the only way to do
16 something, your understanding is that that would be
17 restricted and would have to be maintained in
18 confidence; is that correct?

19 MR. KAO: Objection to form.

20 THE WITNESS: Yes.

21 MR. HEISE: Q. What if over time that same
22 code module Y that contained the structure, sequence,
23 and organization from System V was rewritten so many
24 times between Version 1 and Version 2 that came out from
25 Sequent so that it no longer followed that original Unix

Page 160

1 System V structure, sequence, and organization? Would
2 you consider that something that also had to be
3 maintained in confidence, or could that be provided
4 publicly?

5 MR. KAO: Objection to form.

6 THE WITNESS: Generally, no.

7 MR. HEISE: Q. No, it would not need to be
8 maintained --

9 A. Would not need to be maintained.

10 Q. -- in confidence?

11 No, it would not need to be maintained in
12 confidence?

13 A. Yes. Or yes to a no.

14 Q. Yes, I am correct that would not need to be
15 maintained in confidence, according to you?

16 A. Yes.

17 (Mr. James joins the proceedings.)

18 MR. HEISE: Q. Are you aware of any
19 publications that provided source code for Unix System
20 V, Release 4.0?

21 A. I have no awareness.

22 Q. Well, you had mentioned earlier -- I need to
23 maybe look at my notes -- that you had -- you had a
24 book -- I think it was the Unix System Primer.

25 A. Mm-hmm.

DAVID P. RODGERS

Page 161

1 Q. Is that the one that you said you had in your
 2 possession?
 3 A. Yes.
 4 Q. -- that identified Unix.
 5 So, first, is this -- when you talk about
 6 that, are you talking about identifying fragments in
 7 Unix?
 8 A. Yes.
 9 Q. Do you know whether that Unix System Primer
 10 was identifying source code from Unix System V,
 11 Release 4.0?
 12 A. I don't know. I don't think so because it
 13 appeared much earlier than System V, Release 4.
 14 Q. When is the book that you're talking about,
 15 this Unix System Primer?
 16 A. Oh, 1983.
 17 Q. Were there ever times in which Sequent or AT&T
 18 did address specific terms of the license in writing?
 19 MR. KAO: Objection to form.
 20 THE WITNESS: I'm not clear what the question,
 21 is.
 22 MR. HEISE: Okay. I'll be glad to try and
 23 rephrase it.
 24 Q. We've talked at length about certain issues
 25 that you said you discussed and learned the intent of

Page 162

1 AT&T; for example, definition of "software product" or
 2 what needed to be maintained in confidence, whether it
 3 was methods or concepts. And all those were oral,
 4 nothing in writing; is that correct?
 5 A. That's correct.
 6 Q. So my question is: Were there ever times when
 7 something was put in writing about any aspect of the
 8 contractual relationship between Sequent and AT&T,
 9 either from AT&T or from Sequent?
 10 MR. KAO: Objection to form.
 11 THE WITNESS: Yes. Again, I don't have a
 12 recollection of the date; but at some later time, AT&T
 13 contracted with Sequent to do development work which
 14 required disclosure of the Dynix source code to AT&T.
 15 And so there was a document about that time.
 16 MR. HEISE: Q. Okay. How about with respect
 17 to the Unix System V code? So I understand your example
 18 was with respect to the Dynix code.
 19 A. Mm-hmm.
 20 Q. So with respect to the Unix System V code that
 21 was licensed from AT&T, was there ever anything in
 22 writing between AT&T and Sequent pertaining to this
 23 Exhibit 1 to Exhibit 100?
 24 A. Not to my knowledge.
 25 MR. HEISE: Let me hand you a couple of

Page 163

1 documents and just see if this refreshes your
 2 recollection at all.
 3 One, I only have one copy of, so we'll mark
 4 that as 101. And the other I do have copies for the
 5 whole gang, which we'll mark as 102.
 6 And you can just put the sticker over it.
 7 THE WITNESS: Thank you.
 8 (Whereupon, Deposition Exhibits 101 and 102
 9 were marked for identification.)
 10 MR. KAO: I guess we should give that to her
 11 first.
 12 So this one is 102?
 13 MR. HEISE: Yes.
 14 MR. KAO: Okay.
 15 MR. HEISE: And this is going to be 103,
 16 which -- oh, that's your copy.
 17 (Whereupon, Deposition Exhibit 103 was marked
 18 for identification.)
 19 MR. HEISE: And 101 is the sole copy. I
 20 apologize for that.
 21 MR. KAO: You want to start with 101?
 22 MR. HEISE: Yes, but I'm going to have to ask
 23 you to give it back to me since, as I mentioned, it was
 24 the only copy and it's not stapled and all sorts of
 25 other maladies.

Page 164

1 Q. This document makes reference to an April 1983
 2 software agreement as modified, and it's regarding
 3 Release 2.0.
 4 A. Okay.
 5 Q. And it appears to have a signature for Otis
 6 Wilson and for yourself, talking about various terms of
 7 that earlier 1983 agreement.
 8 A. Okay.
 9 Q. Is that how changes would be communicated
 10 between Sequent and AT&T pertaining to the agreement,
 11 whether it's the earlier version of the 1983 or these
 12 1985 agreements that are attached to your Exhibit 100
 13 declaration?
 14 A. That's what I --
 15 MR. KAO: I object to form. And could I just
 16 have a chance to look at the document --
 17 MR. HEISE: Here you go. Absolutely.
 18 MR. KAO: -- along with the witness --
 19 MR. HEISE: Yeah.
 20 MR. KAO: -- before we ask questions about it,
 21 since we don't have a copy?
 22 MR. HEISE: Q. Are you done?
 23 A. Yes.
 24 Q. Have you had to time to look at it? Because
 25 I'm not really asking you substantively about the

DAVID P. RODGERS

Page 165

1 contents of the document as much as I am about trying to
 2 understand the way in which Sequent and AT&T would
 3 operate when there were anything that needed to be
 4 addressed regarding the agreements.
 5 This one, obviously, Exhibit 101, references
 6 an earlier agreement between AT&T --
 7 A. Right.
 8 Q. -- and Sequent.
 9 Were you involved in the negotiation or
 10 execution of the earlier agreement, the 1983 --
 11 A. Yes.
 12 Q. -- that's referenced?
 13 A. I'm presuming that we're talking about --
 14 Q. Well, this references a 1983 agreement, and
 15 that's why -- I'm just trying to get clarification on
 16 that first.
 17 A. I have no recollection of that.
 18 Q. Okay. Then going back to my original
 19 question, is this your understanding as to how AT&T and
 20 Sequent would operate when they were addressing terms in
 21 the documents; namely, there would be this
 22 correspondence from AT&T and then you or someone at
 23 Sequent would sign and return the document?
 24 MR. KAO: Objection to form.
 25 THE WITNESS: I presume so. I mean, I don't

Page 166

1 have a recollection. I'm trying to remember now. I
 2 don't think I joined Sequent until July of 1983. So
 3 this -- the agreement that's referred to here would have
 4 been executed by somebody else.
 5 MR. HEISE: Q. Okay.
 6 A. And with regard to is this how we would
 7 exchange notes, I think we probably would have
 8 exchanged -- when we requested something different, we
 9 probably would have phoned them, said "How do you want
 10 to deal with this?"
 11 Q. And after a phone call was made, it would be
 12 memorialized in a letter and then you would sign it and
 13 return it back to AT&T? Was that the procedure?
 14 MR. KAO: Objection to form.
 15 THE WITNESS: I don't recall that as an
 16 ongoing process.
 17 MR. HEISE: Q. Well, if you could, sir,
 18 turning your attention to Exhibit 102, which does make
 19 reference to Exhibit 1 of your declaration, the software
 20 agreement.
 21 A. Right.
 22 Q. Apparently somebody at Sequent had asked for a
 23 particular copy of a book.
 24 A. Right.
 25 Q. And then, again, is that your signature that

Page 167

1 appears on the document?
 2 A. It is my signature.
 3 Q. And again, was this the procedure that would
 4 be followed to identify any issues between AT&T
 5 regarding the software agreement; namely, a letter from
 6 AT&T that would be countersigned by you?
 7 MR. KAO: Objection to form.
 8 THE WITNESS: Actually, this exhibit gives me
 9 one other piece of recollection, which is that it was
 10 Ira Kistenberg who was on the phone calls most of the
 11 time.
 12 MR. HEISE: Q. Is Mr. -- could you spell the
 13 last name?
 14 A. K-i-s-t-e-n-b-e-r-g.
 15 Q. You're reading his name off the --
 16 A. Off the --
 17 Q. -- bottom of the document?
 18 A. Off the document.
 19 Q. So he was the AT&T person --
 20 A. Right.
 21 Q. -- who was on the phone calls?
 22 A. So, but to answer your question, this would be
 23 the form that we would take when we asked for something
 24 additional.
 25 Q. Okay. And what about Exhibit 103?

Page 168

1 THE WITNESS: Do you have this one?
 2 MR. KAO: Yeah.
 3 MR. HEISE: Q. Is that your signature that
 4 appears on 103?
 5 A. Yes, it is.
 6 Q. While you're taking the time to review it, my
 7 question is: When terms were changed or clarified or
 8 discussed, is this the procedure that would be followed:
 9 AT&T would provide you with correspondence and you would
 10 countersign it and return it?
 11 A. That would certainly --
 12 MR. KAO: Object to form.
 13 THE WITNESS: That would certainly be the case
 14 with regard to correspondence.
 15 Okay.
 16 MR. HEISE: Q. You've had the opportunity
 17 to --
 18 A. I did read it, yes.
 19 Q. -- review this?
 20 Having had the opportunity to review
 21 Exhibits 101, 102, and 103, just to make sure I covered
 22 it for all three, it does have your signature on each of
 23 these exhibits; is that correct?
 24 A. It is mine.
 25 Q. And with respect to 103, this was a -- this

DAVID P. RODGERS

Page 169

1 was correspondence regarding the sublicensing agreement,
 2 meaning the one for the binary --
 3 A. That's correct.
 4 Q. -- code?
 5 And was this an example of how terms would be
 6 discussed or clarified when AT&T and Sequent concluded
 7 that something needed to be clarified?
 8 MR. KAO: Objection to form.
 9 THE WITNESS: In this particular case, I
 10 believe that this was a general -- a general change in
 11 terms that was not initiated by Sequent. There was
 12 nothing new requested by Sequent. They obviously had
 13 somebody whose behavior they didn't like and they wanted
 14 to clarify.
 15 MR. HEISE: Q. And Sequent agreed to it by
 16 indicating --
 17 A. By acknowledging the letter.
 18 Q. -- by indicating and countersigning the
 19 document and returning it to AT&T; is that correct?
 20 A. Yes, we did.
 21 Q. Having had the opportunity to review
 22 Exhibits 101, 102, and 103, does this refresh your
 23 recollection at all as to written correspondence being
 24 the manner in which changes or clarifications to the
 25 various agreements would occur; namely, they would be

Page 170

1 done in writing and countersigned by Sequent or somebody
 2 at Sequent?
 3 MR. KAO: Objection to form.
 4 THE WITNESS: If there was a material change,
 5 if it was an increment of rights or content.
 6 MR. HEISE: Q. Continuing on, sir, with your
 7 declaration, in paragraph 14, again, you start a
 8 sentence with "As I understood the agreement . . ."
 9 Is that from your reading of the agreement or
 10 from any other basis?
 11 A. It's based on having read the agreement,
 12 having had the conversations with the parties.
 13 Q. And then in paragraph 15, we touched on this
 14 before, about the phrase from Section 7.06 of "available
 15 without restriction to the general public" not having a
 16 particular definition or example attached to it. Do you
 17 recall that?
 18 A. Yes.
 19 Q. You indicate in your declaration under oath
 20 that you believe there are a number of circumstances
 21 that would meet the definition of "available without
 22 restriction to the general public"?
 23 A. Yes, I do.
 24 Q. The example that's provided here, was that
 25 provided by the lawyers or is that an example that was

Page 171

1 provided by you?
 2 A. The example was mine.
 3 Q. Did you provide any other examples that do not
 4 appear in your declaration?
 5 MR. KAO: To -- let me -- let me ask. Are you
 6 asking did he provide other examples in discussions with
 7 counsel, or did he provide other examples in the
 8 declaration, which I think speaks for itself?
 9 MR. HEISE: I will clarify.
 10 Q. Prior to orally agreeing to have Cravath,
 11 Swaine & Moore, IBM's lawyers, represent you, did you
 12 have any discussions with them about other examples from
 13 you, not from them, of instances that would meet the
 14 definition of, quote, available without restriction to
 15 the general public?
 16 A. I don't have a specific recollection. In
 17 recollecting the conversation, I explicitly remember
 18 mentioning books, and I probably -- this is
 19 speculation -- I probably would have mentioned public
 20 speaking engagements by AT&T personnel.
 21 Q. Backtracking for just one second, but you just
 22 brought it up a few minutes ago and it jogged my memory,
 23 you talked about this situation where Dynix code was
 24 revealed to AT&T. Was that pursuant to a written
 25 agreement?

Page 172

1 A. Yes, it was.
 2 Q. When's the last time that you looked at that
 3 agreement?
 4 A. I don't think I ever looked at that agreement.
 5 Q. Okay. I guess I assumed something that did
 6 not occur.
 7 How is it that you became aware of the terms
 8 of that agreement between AT&T and IBM for AT&T to
 9 review the Dynix code?
 10 MR. JAMES: AT&T and Sequent?
 11 MR. KAO: Objection to form.
 12 MR. JAMES: You said "AT&T and IBM."
 13 MR. HEISE: Thank you. I will go ahead and
 14 start that one over.
 15 Q. How is it you became aware of any of the terms
 16 between AT&T and Sequent for AT&T to view the Dynix
 17 code?
 18 A. Again, no specific recollection. The likely
 19 occurrence was that Michael Simon spoke at an executive
 20 staff meeting about the agreement with AT&T, and my part
 21 in that would be to execute on the fulfillment.
 22 Q. Okay. Are you aware of any books, going back
 23 to your paragraph 15, that provide source code from Unix
 24 System V in greater than a fragment?
 25 A. I personally am unaware of them. It would not

DAVID P. RODGERS

Page 173

1 be shocking to me that there are texts in use at
 2 universities.
 3 Q. Do you have any understanding, sir, as to the
 4 confidentiality obligations of universities that have
 5 Unix System V?
 6 A. No, I do not.
 7 Q. Do you know one way or the other whether
 8 universities, its employees, and students are obligated
 9 to maintain in confidence Unix System V and all the
 10 other items identified in the agreements between AT&T
 11 and the universities?
 12 A. I don't know that.
 13 Q. You indicated that another possible example of
 14 situations where something would become available
 15 without restriction to the general public would occur
 16 because of speaking engagements.
 17 A. Yes.
 18 Q. Could you tell us what you're referring to
 19 there?
 20 A. There, as there are in many industries,
 21 industry gatherings, industry events where technical
 22 people will give talks on how a particular problem was
 23 solved or how a particular marketplace need was
 24 addressed. And it was very frequently the case that a
 25 developer from AT&T or other company would stand up and

Page 174

1 talk about how they did something really cool.
 2 Q. In these discussions, would they provide the
 3 entire source code for that particular item that they
 4 may have been discussing?
 5 A. It's not likely, because in a public speaking
 6 event, you're limited as to time and you're not likely
 7 to go through it line by line. However, you'll -- in
 8 such a case, you'll usually provide the key block
 9 diagram of how the module's put together and then some
 10 of the key code fragments to say, "Here's how this
 11 problem was solved."
 12 Q. In your experience, did you ever see -- did
 13 you ever attend any speaking functions where AT&T
 14 personnel talked about source code?
 15 A. I'm sure I did. I don't remember a specific
 16 incident.
 17 Q. Do you recall any instance in which more than
 18 just source code fragments were ever revealed at any of
 19 the engagements that you attended?
 20 A. No, I can't imagine that.
 21 MR. HEISE: If we could just take a short
 22 break and I'll check my notes, and --
 23 THE WITNESS: Sure.
 24 MR. HEISE: -- we might get you out of here.
 25 THE WITNESS: Awesome.

Page 175

1 MR. HEISE: Thank you, sir.
 2 THE VIDEOGRAPHER: Going off the record. The
 3 time is 1:50.
 4 (Recess taken.)
 5 THE VIDEOGRAPHER: We're back on the record.
 6 The time is 2:11.
 7 MR. HEISE: Q. Sir, I just have a few quick
 8 areas I just want to touch base on.
 9 When you gave us your employment history from
 10 Carnegie-Mellon all the way through IP Unity, were there
 11 any breaks between times when you, for example, went
 12 from Digital to Sequent or Sequent to Compaq that are
 13 not covered?
 14 A. The only break in my employment was after I
 15 left Brightlink and before I started at IP Unity.
 16 Q. What did you do during that time?
 17 A. I took the summer off and looked for a job.
 18 Q. Okay. Because Brightlink decided it was time
 19 to go belly-up?
 20 A. Yep.
 21 Q. All right. What was the reason that you left
 22 Sequent?
 23 A. Essentially, because Sequent was no longer
 24 sort of at the forefront of enterprise application
 25 innovation.

Page 176

1 The context here is that my expertise over
 2 time at Sequent had become IT oriented. My stint as the
 3 CIO and as the professional services guy gave me a lot
 4 of insight into how businesses were using open systems
 5 technology and enterprise scale applications like SAP
 6 and Oracle. And at that point in time, Compaq was
 7 making a big push to partner with those application
 8 providers and to use the Windows NT platform as a
 9 vehicle to kind of crash the cost of enterprise
 10 computing, and that seemed like an innovative thing to
 11 do.
 12 Q. Okay. What about this Roger Swanson? Do you
 13 know why he left Sequent?
 14 A. I don't. In fact, I don't even know when he
 15 left Sequent.
 16 Q. Okay. How is it that you believe he's in
 17 Beaverton or Portland, Oregon, area?
 18 A. I think I maintain sort of peripheral contact
 19 with ex-Sequent employees through an Internet mail group
 20 called Ex-Sequent, and I've seen Roger appear there in
 21 some postings.
 22 Q. Got it. Then the last thing I just wanted to
 23 ask you about, and I meant to earlier, is in paragraph 5
 24 of your declaration.
 25 A. Okay.

DAVID P. RODGERS

Page 177

Page 179

1 Q. Specifically what I'm not understanding is, in
 2 your declaration you state:
 3 "Although I did not personally negotiate the
 4 Sequent Agreements with representatives of
 5 AT&T . . . I carefully reviewed the
 6 agreements myself with other Sequent
 7 employees before executing them"
 8 And then you continue on.
 9 A. Yes.
 10 Q. In reading this, it doesn't indicate anywhere
 11 in here that you talked with AT&T personnel. Because
 12 you specifically state that you did not personally
 13 negotiate the Sequent agreements with AT&T personnel.
 14 Is that just an inaccurate statement as it
 15 appears in No. 5?
 16 MR. KAO: Objection to form.
 17 THE WITNESS: I certainly did make contact
 18 with AT&T personnel during this process. And the intent
 19 of this statement was just to say that I didn't
 20 participate in the drafting; I did participate in the
 21 review.
 22 MR. HEISE: I don't have anything further at
 23 this time.
 24 You may or may not be aware that we were in
 25 court earlier this week about your deposition, and for

1 MR. HEISE: Objection to form.
 2 You may answer.
 3 MR. KAO: Q. Dynix/ptx, I should say.
 4 A. I would hope not. That's certainly not my
 5 interpretation of the licensing agreement.
 6 Q. In your telephone discussions with
 7 representatives of AT&T, did you believe that the --
 8 well, strike that.
 9 Let me ask it this way: When you were having
 10 phone discussions with AT&T about the Unix System V
 11 license that you were entering into, did you have
 12 discussions regarding changes that Sequent wanted to
 13 make to the agreement?
 14 MR. HEISE: Objection to form.
 15 You may answer.
 16 THE WITNESS: No. It was just trying to
 17 clarify what was the intent of the language and how they
 18 were going to enforce it.
 19 MR. KAO: Q. Did you yourself feel any need
 20 to document in writing your discussions with AT&T
 21 Technologies regarding the license agreement?
 22 A. I did not.
 23 Q. And why is that?
 24 A. Perhaps naively, I took them at their word.
 25 Q. Do you know if anyone on your staff at Sequent

Page 178

Page 180

1 the reasons that were stated at length there, we're
 2 going to reserve the right to come back when we get
 3 additional documentation. But for today, I very much
 4 appreciate the time that you've given us, sir.
 5 THE WITNESS: Thank you.
 6 MR. KAO: I just have a few questions that
 7 I'll go through with you. But --
 8 THE WITNESS: Okay.
 9 MR. KAO: -- although I may be sitting over
 10 here, you can pretend like I'm sitting in Mark's seat.
 11 MR. HEISE: Exactly. I'll be the puppet
 12 master.
 13 FURTHER EXAMINATION BY MR. KAO
 14 MR. KAO: Q. The first question I had was:
 15 With respect to Dynix/ptx, are you aware of what
 16 third-party code, apart from code written by Sequent, is
 17 in Dynix/ptx?
 18 A. I don't have specific knowledge. I can say
 19 that there are pieces of third-party code in Dynix/ptx,
 20 one element of which was written by Oracle. And there
 21 are others, but I don't know them specifically.
 22 Q. Based on your understanding of the licensing
 23 agreement, would AT&T have the right to control in any
 24 way Sequent's use or disclosure or distribution of that
 25 third-party code in Dynix?

1 attempted to document discussions with AT&T?
 2 A. It's possible, but not to my knowledge.
 3 Q. Now, if you can look at the software agreement
 4 again with me, when Mr. Heise was questioning you, you
 5 looked at Section 1.04 --
 6 A. Yes.
 7 Q. -- of the agreement. Do you remember that?
 8 A. Yes.
 9 Q. And I believe you testified that that -- at
 10 the time that you executed this agreement, you believed
 11 that that particular section was vague. Do you remember
 12 that testimony?
 13 A. Yes, I do.
 14 Q. Can you explain to me in what sense you
 15 believe this section to be vague?
 16 MR. HEISE: Objection.
 17 You may answer.
 18 THE WITNESS: Okay. The description of
 19 computer programs and documentation, the capture in that
 20 language is too broad to be practical. As we've
 21 discussed previously, the essence of Unix requires that
 22 some of the source be exposed and modifiable by the
 23 customers. Certainly the documentation has to be
 24 exposed to customers. And so it's just overbroad.
 25 MR. KAO: Q. Did you have -- do you recall

DAVID P. RODGERS

<p style="text-align: right;">Page 181</p> <p>1 specific discussions you had with AT&T Technologies 2 regarding this Section 1.04? 3 A. I don't have a specific recollection, only 4 clarifying that their intent was not to make the source 5 code unusable. 6 Q. In other words, you don't remember the exact 7 words they told you? 8 A. That's correct. 9 Q. But you do remember discussions where you 10 talked about this section? 11 A. Right. 12 MR. HEISE: Objection. 13 THE WITNESS: We clarified the intent. 14 MR. HEISE: Objection to form. 15 I know she doesn't want two of us speaking at 16 the same time. She definitely doesn't want three of us 17 speaking at the same time. 18 MR. KAO: Q. Let me ask it this way: Can you 19 just tell me what discussions you remember having with 20 AT&T generally about this Section 1.04? 21 MR. HEISE: Objection. 22 You may answer. 23 THE WITNESS: Only that the intended 24 interpretation of this paragraph was not to restrict our 25 ability to create the derivative work or to sell a</p>	<p style="text-align: right;">Page 183</p> <p>1 MR. HEISE: Objection. 2 You may answer. 3 THE WITNESS: Sorry. 4 MR. KAO: Q. Did you have any discussions 5 with AT&T regarding whether AT&T considered the software 6 product to include source code that Sequent developed on 7 its own? 8 MR. HEISE: Objection. 9 You may answer. 10 THE WITNESS: I don't recall a specific 11 conversation. 12 MR. KAO: Q. Do you recall general 13 discussions? 14 MR. HEISE: Same objection. 15 THE WITNESS: No, I don't recall a specific 16 conversation. I recall being satisfied that our -- we 17 were not bargaining away the rights to our intellectual 18 property. 19 MR. KAO: Q. And how did you become satisfied 20 with that? 21 A. Through a verbal assurance from someone at 22 AT&T. 23 Q. Now, in response to questions from Mr. Heise, 24 I believe you testified that Sequent attempted to 25 maintain the Dynix/ptx source code confidential. Is</p>
<p style="text-align: right;">Page 182</p> <p>1 usable product. 2 MR. KAO: Q. Can you explain what you mean by 3 that? 4 A. That those things which are necessary to be 5 exposed to make use of the resulting Dynix/ptx or Dynix 6 would be within the Interpretation of this paragraph. 7 Q. I'm not sure I'm understanding your answer. 8 What materials did you understand AT&T to 9 consider part of the software product? 10 MR. HEISE: Objection. 11 You may answer. 12 THE WITNESS: The language is inclusive of 13 object code, source code, and documentation. We 14 clarified with AT&T that that would not be construed to 15 limit our ability to expose those pieces of source code 16 that were necessary for customization or those pieces of 17 documentation that were necessary for use. 18 MR. KAO: Q. And I think in -- when you were 19 discussing this issue with Mr. Heise, the source code 20 that you were referring to were header files? 21 A. Among them, yes. 22 Q. Now, did you understand this Section 1.04 to 23 include, as part of the software product, any materials 24 or any source code developed by Sequent on its own? 25 A. I did not.</p>	<p style="text-align: right;">Page 184</p> <p>1 that correct? 2 A. That's correct. 3 Q. As you understand the license agreements with 4 AT&T for Unix System V, did Sequent attempt to maintain 5 the Dynix/ptx source code confidential because it was 6 obligated to under the agreement or because it chose to 7 do so as a matter of business practice? 8 MR. HEISE: Objection. 9 You may answer. 10 THE WITNESS: Both of those. 11 MR. KAO: Q. Can you explain what you mean by 12 that? 13 A. Yes. Certainly, the Dynix/ptx source code 14 that was derived from AT&T was required to be maintained 15 in confidentiality; and for that matter, any third-party 16 contributions that were similarly covered would have had 17 to be maintained in confidentiality. 18 And then in my view, Sequent was free to do 19 what it would with its own source code; but as I 20 explained earlier, we had, at least for the time, a 21 competitive advantage in performance and stability that 22 we wanted to maintain as a trade secret. 23 Q. Did Sequent maintain its Dynix/ptx source code 24 confidential from AT&T Technologies? 25 A. It did.</p>

46 (Pages 181 to 184)

DAVID P. RODGERS

Page 185

1 MR. HEISE: Objection.
 2 You may answer.
 3 MR. KAO: Q. If AT&T requested the Dynix/ptx
 4 source code, would you have provided -- would Sequent
 5 have provided that source code to AT&T without a license
 6 from Sequent?
 7 MR. HEISE: Objection.
 8 You may answer.
 9 THE WITNESS: With an appropriate
 10 nondisclosure document or a license.
 11 MR. KAO: Q. Did you understand the license
 12 agreement that you entered into with AT&T for Unix
 13 System V to give AT&T the right to obtain the source
 14 code that Sequent developed on its own without any
 15 license agreement from Sequent?
 16 MR. HEISE: Objection.
 17 You may answer.
 18 THE WITNESS: No.
 19 MR. KAO: Q. Now, in response to a question
 20 from Mr. Heise, you stated that you believed that
 21 Dynix/ptx was a derivative work of Unix System V. Do
 22 you remember that testimony?
 23 A. Yes.
 24 Q. Can you tell me what you base that answer on?
 25 A. Dynix/ptx, because it was -- it had a System V

Page 186

1 personality, would be required to contain, at the very
 2 least, the utilities that are a part of Unix System V
 3 that are not a part of the Berkeley Standard
 4 Distribution.
 5 Q. Do you know if Dynix/ptx today still contains
 6 that Unix System V code?
 7 A. I don't know it from personal knowledge. I
 8 would make that assumption.
 9 Q. During the time that you were at Sequent, did
 10 you know, based on personal knowledge, that there was
 11 any Unix System V code contained in Dynix/ptx?
 12 MR. HEISE: Objection.
 13 You may answer.
 14 THE WITNESS: I did not inspect the code to
 15 know that to be true.
 16 MR. KAO: Q. Do you recall discussing with
 17 Mr. Heise the NUMA technology earlier?
 18 A. Yes.
 19 Q. Can you explain for me what the NUMA
 20 technology is?
 21 A. NUMA is an acronym for Non-Uniform Memory
 22 Access, and it's a way of constructing multiprocessor,
 23 multimemory computer systems that give the appearance of
 24 having a single shared memory, but the physical
 25 realization is multiple distributed memories.

Page 187

1 The Non-Uniform Memory Access refers to the
 2 speed of access for memory that's attached directly to a
 3 particular processor being faster than memory that's
 4 attached to another processor in the cluster. It's a
 5 technology that existed a long time before and
 6 independent of Unix or any other operating system.
 7 Q. Do you understand the NUMA technology that
 8 Sequent developed for Dynix/ptx to be based on any code
 9 contained in Unix System V?
 10 MR. HEISE: Objection.
 11 You may answer.
 12 THE WITNESS: It's almost certainly not based
 13 on Unix System V code.
 14 MR. KAO: Q. And why is that?
 15 A. There's no contemplation of inhomogeneous
 16 memory access or distributed memory in Unix System V.
 17 Q. Are there any methods or concepts within Unix
 18 System V upon which the NUMA technology that Sequent
 19 developed for Dynix/ptx are based on?
 20 A. There are certainly related concepts in Unix
 21 System V. We mentioned earlier interprocess
 22 communication. That is a concept that's useful
 23 independent of Non-Uniform Memory Access. But
 24 certainly, an application that wants to take advantage
 25 of a NUMA machine will lean more heavily on it because

Page 188

1 it's oriented toward communication that doesn't depend
 2 on memory speed of access.
 3 Q. I guess I don't -- I mean, I may be lost in
 4 the technology. Is the NUMA technology based on those
 5 methods or concepts within Unix System V?
 6 A. No, it is not. I'll give you a little bit
 7 more.
 8 We talked earlier about different programs
 9 wanting to make access to a common resource. It doesn't
 10 matter what that resource is.
 11 In a shared memory architecture, you can
 12 utilize a relatively inefficient synchronization
 13 technique called a spin lock, where all the processes
 14 that want to access the resource keep looking at a
 15 common memory location and waiting for their number to
 16 come up essentially.
 17 In a Non-Uniform Memory Access machine, that
 18 would be very inefficient, because except for the
 19 processor that happened to be close to the memory
 20 location that was being referenced, all the other
 21 processors would have to be using some expensive access
 22 mechanism to look at that memory location.
 23 So in a NUMA architecture, it's more efficient
 24 to use interprocess communication, which is more of a
 25 wake-me-when-it's-my-turn mechanism rather than a

DAVID P. RODGERS

<p style="text-align: right;">Page 189</p> <p>1 I'll-keep-waiting-until-I-see-it's-my-turn mechanism. 2 Q. And is the interprocess communication concept 3 something unique to Unix System V? 4 A. No, not at all. 5 MR. HEISE: Objection. 6 You may answer, which you already did. 7 MR. KAO: Q. Is that a method or concept that 8 is used by Unix System V? 9 A. Yes, it is. 10 MR. HEISE: Same objection. 11 THE WITNESS: Yeah. 12 MR. KAO: Q. Do you know what the origin of 13 that concept is from? 14 A. I don't know from own knowledge. It's lost in 15 the history of computer science. 16 Q. Now, you looked at Section 7.06(a) of this 17 agreement with Mr. Heise earlier, and I just want to ask 18 you some questions about that. And in particular, I 19 think you looked at the first sentence, which says that: 20 "LICENSEE agrees that it shall hold all parts 21 of the SOFTWARE PRODUCTS subject to this 22 Agreement in confidence for AT&T." 23 A. Yes. 24 Q. Do you see that? 25 And I believe your testimony was that -- well,</p>	<p style="text-align: right;">Page 191</p> <p>1 System V source code are disclosable at the discretion 2 of Sequent. 3 MR. KAO: Q. And looking now at the next 4 sentence, which includes the language "methods or 5 concepts utilized therein," did you understand this 6 Section 7.06(a) to require Sequent to hold in confidence 7 methods and concepts contained in Dynix/ptx? 8 MR. HEISE: Objection. 9 You may answer. 10 THE WITNESS: It would be a similar response. 11 That is, if there were some patented method within the 12 System V source code, that would certainly be required 13 to be held in confidence. If it was an invention of 14 Sequent alone, then it was, again, Sequent's discretion. 15 MR. KAO: Q. Now, if you can turn with me to 16 Section 2.01, which I believe you also reviewed with 17 Mr. Heise, I believe you testified that as you 18 understood the meaning of the word "treated," that that 19 was distinguishing between ownership on the one hand and 20 treatment of something as confidential on the other. Is 21 that -- 22 MR. HEISE: Objection. 23 MR. KAO: Q. -- correct? 24 MR. HEISE: You may answer. 25 THE WITNESS: That's accurate.</p>
<p style="text-align: right;">Page 190</p> <p>1 strike that. 2 Let me ask it this way: Is it your 3 understanding of this provision in the software 4 agreement that Sequent was to hold all parts of the Unix 5 System V source code in confidence for AT&T? 6 A. Yes. 7 MR. HEISE: Objection. 8 You may answer. 9 THE WITNESS: Yes, that's my understanding. 10 MR. KAO: Q. Is it your understanding from 11 this agreement that licensee, meaning Sequent, has to 12 hold all parts of the Dynix/ptx software in confidence 13 for AT&T? 14 MR. HEISE: Objection. 15 You may answer. 16 THE WITNESS: No, that's not my understanding. 17 MR. KAO: Q. What is your understanding of 18 what Sequent has to hold in confidence for AT&T with 19 respect to Dynix/ptx? 20 MR. HEISE: Same objection. 21 You may answer. 22 THE WITNESS: Those modules or components 23 which are wholly or in part comprised of the System V 24 source code would have to be held in confidence. Those 25 modules or components that are independent of Unix</p>	<p style="text-align: right;">Page 192</p> <p>1 MR. KAO: Q. Okay. Now, with respect to code 2 that Sequent developed on its own for Dynix/ptx, was it 3 your understanding that this Section 2.01 required 4 Sequent to treat that code as confidential? 5 MR. HEISE: Objection. 6 You may answer. 7 THE WITNESS: Please repeat the question. 8 MR. KAO: Can you just read it back. 9 (Record read.) 10 THE WITNESS: My understanding is that if the 11 code were purely a Sequent development, that that would 12 not be subject to the provisions of this license 13 agreement. 14 MR. KAO: Q. In testimony that you gave when 15 speaking with Mr. Heise, you recognized the distinction 16 between ownership and control. Do you remember that? 17 A. Yes, I do. 18 Q. Do you believe that -- well, let me ask it in 19 two parts. First, do you believe that Sequent owned the 20 source code that it developed for Dynix/ptx? 21 MR. HEISE: Objection. 22 You may answer. 23 THE WITNESS: I believe that Sequent owned, in 24 its entirety, the source code for Dynix. I believe that 25 Sequent owned those portions of Dynix/ptx which were not</p>

48 (Pages 189 to 192)

DAVID P. RODGERS

Page 193

1 contributed by others, including AT&T.
 2 MR. KAO: Q. Do you believe that Sequent
 3 controlled and had the right to control the source code
 4 for Dynix/ptx that it developed on its own?
 5 MR. HEISE: Objection.
 6 You may answer.
 7 THE WITNESS: Yes, I believe that subject to
 8 limitations that were applied by the licensed
 9 third-party components, that Sequent controlled those
 10 portions, again, in the entirety for those portions
 11 which were uniquely Sequent's and jointly for those
 12 portions which third parties were involved.
 13 MR. KAO: Q. Now, do you recall earlier
 14 discussing with Mr. Heise how one would go about
 15 determining whether there is Unix System V code in
 16 Dynix?
 17 A. Yes.
 18 Q. If I wanted to know with res- -- well, let me
 19 give you some background here.
 20 Do you understand that, at least as it's -- at
 21 least as the plaintiff SCO alleges, IBM has contributed
 22 code from Dynix/ptx to Linux?
 23 MR. HEISE: Objection.
 24 You may answer.
 25 MR. KAO: Q. Do you have an understanding of

Page 194

1 that or not?
 2 A. I do, but you were my source.
 3 Q. Oh. Well, if I -- let me --
 4 I'll put on the record that that was not meant
 5 to be a waiver of the attorney-client privilege.
 6 MR. HEISE: Too late.
 7 MR. KAO: Q. Assume with me that -- assume
 8 with me that IBM has contributed source code from
 9 Dynix/ptx to Linux. Whether or not that's true, let's
 10 assume that's the case for the purposes of my question
 11 here.
 12 A. Okay.
 13 Q. Can you do that?
 14 A. I can do that.
 15 Q. If I wanted to determine whether there was any
 16 Unix System V code contained in the source code that was
 17 contributed from Dynix/ptx to Linux, how would I do
 18 that?
 19 MR. HEISE: Objection.
 20 You may answer.
 21 THE WITNESS: The most reliable mechanism
 22 would be to do a source-to-source compare and, as I
 23 previously described, after suspect areas are
 24 identified, to have a software expert determine whether
 25 those are chance likenesses or the result of copying.

Page 195

1 MR. KAO: Q. Would I need the modification
 2 histories for Dynix/ptx in order to make that
 3 determination, whether there was Unix System V code
 4 contained in the contributions to Linux?
 5 MR. HEISE: Objection.
 6 You may answer.
 7 THE WITNESS: You would not.
 8 MR. KAO: Q. Now, in your understanding of
 9 the term "derivative work," does something need to
 10 contain code from Unix System V in order to be
 11 considered a derivative work of Unix System V?
 12 MR. HEISE: I'm sorry to interrupt. Could you
 13 just repeat the question?
 14 MR. KAO: Sure. I'm not -- I'm probably not
 15 asking it in a very clear way.
 16 MR. HEISE: No. Somebody just distracted me
 17 for a moment.
 18 MR. JAMES: Here, I'll shut the door.
 19 MR. KAO: Q. As you under- -- well, let me
 20 just ask you this way: How do you understand -- what do
 21 you understand a derivative work to be?
 22 A. A derivative work is something which contains
 23 a part or all of some other preexisting work.
 24 Q. Okay. So what would you consider to be a
 25 derivative work of Unix System V?

Page 196

1 A. I would consider a source module or a document
 2 which contained some substantial portion, meaning not a
 3 comment line consisting of a semicolon, some substantial
 4 portion of Unix System V.
 5 Q. Would I need the modification history of
 6 Dynix/ptx in order to determine whether Dynix/ptx
 7 contains source code from Unix System V?
 8 MR. HEISE: Objection.
 9 You may answer.
 10 THE WITNESS: You wouldn't.
 11 MR. KAO: Q. I could just do a comparison
 12 between the Unix System V source code and the Dynix
 13 source code; correct?
 14 A. Yes.
 15 MR. HEISE: Objection.
 16 You may answer.
 17 THE WITNESS: And then, after that, an
 18 inspection.
 19 MR. KAO: Q. Now, as you understand the term
 20 "modification," does something need to have Unix
 21 System V code in it to be considered a modification of
 22 Unix System V code?
 23 MR. HEISE: Objection.
 24 You may answer.
 25 THE WITNESS: I think it's the same. That is,

DAVID P. RODGERS

Page 197

1 if the Unix System V code is substantively unchanged --
 2 we used the example of changing a -- removing a dollar
 3 sign -- then, yes, I would consider that.
 4 MR. KAO: Q. And I could determine whether
 5 something, then, was a modification of Unix System V
 6 code without having access to the revision histories?
 7 MR. HEISE: Objection.
 8 You may answer.
 9 THE WITNESS: Yes, you could.
 10 MR. KAO: Q. I could do that by comparing the
 11 Unix System V code to the modified Unix System V code?
 12 A. Yes, you could.
 13 MR. HEISE: Objection.
 14 MR. KAO: Q. What information would the
 15 revision -- I think you called it -- maybe I should ask
 16 you. What did you call Sequent's revision history
 17 information?
 18 A. The RCS logs.
 19 Q. What information would the RCS logs give me
 20 that having all the source code to Dynix/ptx would not
 21 give me?
 22 A. It would give you the programmer's intent for
 23 the change.
 24 Q. If you had the source code itself, could you
 25 determine whether something was based on Unix System V

Page 198

1 without having the programmer's notes?
 2 MR. HEISE: Objection.
 3 You may answer.
 4 THE WITNESS: With some high probability, yes.
 5 MR. KAO: Q. When you talk about Dynix/ptx
 6 source code, what are you referring to? What universe
 7 of source code is considered Dynix/ptx source code?
 8 A. You need to give me a time bound for this.
 9 Q. Sure, okay. We've been talking in this
 10 deposition just generally about Dynix/ptx source code.
 11 And all I'm trying to understand is: If you were asked
 12 by -- if you were asked by a customer or anybody else to
 13 provide them with the Dynix/ptx source code, what would
 14 you provide them with? I guess let's say at the time
 15 that you were at Sequent.
 16 A. Okay. Generally, when someone wants access to
 17 the source code, they want access to the kernel, to the
 18 libraries, to the utilities, to the on-line and off-line
 19 documents, and to the makefile.
 20 Q. That's what you would consider to be
 21 Dynix/ptx?
 22 A. Right.
 23 Q. Now, do the RCS logs that you discuss include
 24 code that never made its way into a release of
 25 Dynix/ptx?

Page 199

1 A. Lots.
 2 Q. Would you consider that code to be part of
 3 Dynix/ptx?
 4 A. No.
 5 Q. What is a release of Dynix/ptx? Can you
 6 explain that for the record?
 7 A. Certainly. A software release is the
 8 completed, tested, documented, and authorized for
 9 distribution version of a particular piece of software.
 10 So the release viewed from inside the organization would
 11 include the source, would include the tools, would
 12 include the build files. A release as viewed from
 13 outside the organization would be the binary code, the
 14 release notes, the documentation.
 15 Q. And releases are assigned different numbers to
 16 identify them?
 17 A. Yes. A release will typically have a major
 18 and a minor version number. Sometimes more precision
 19 than that if there's a lot of either customer-specific
 20 or other variation.
 21 Q. If I wanted to determine if any code in a
 22 release of Dynix/ptx is based on any code in Unix
 23 System V, would I need to have the RCS logs?
 24 MR. HEISE: Objection.
 25 You may answer.

Page 200

1 THE WITNESS: No. The straightforward method
 2 would be to DIF the files module by module.
 3 MR. KAO: Q. When you say "DIF the files,"
 4 what do you mean?
 5 A. A utility that would do a line-by-line
 6 comparison of the source code and identify where lines
 7 were either added or subtracted or changed.
 8 Q. In order to determine whether a particular
 9 release of Dynix/ptx contained code implementing any
 10 methods or concepts of Unix System V, would I need the
 11 RCS log?
 12 MR. HEISE: Objection.
 13 You may answer.
 14 THE WITNESS: You might, only with regard to
 15 programmer intent.
 16 A more likely place to find it would be in the
 17 release notes.
 18 MR. KAO: Q. And release notes are -- well,
 19 strike that.
 20 Are release notes provided with -- to
 21 customers?
 22 A. Yes, they are. They're part of the
 23 distribution.
 24 MR. KAO: That's all I have for you.
 25 MR. HEISE: Just a few follow-up questions.

DAVID P. RODGERS

Page 201

1 THE WITNESS: Sure.
 2 FURTHER EXAMINATION BY MR. HEISE
 3 MR. HEISE: Q. Before entering into the
 4 agreement on behalf of Sequent, you've indicated that
 5 you carefully reviewed it and discussed it with Sequent
 6 personnel and were involved in some phone conversations
 7 with AT&T personnel. Is that correct?
 8 A. That is correct.
 9 Q. In all of the time that you carefully reviewed
 10 this agreement, did you note paragraph 4 on page 1 of
 11 the agreement? And just so that the record's clear, in
 12 paragraph 4 it states that:
 13 "This Agreement and its Supplements set forth
 14 the entire agreement and understanding
 15 between the parties as to the subject matter
 16 hereof and merge all prior discussions
 17 between them, and neither of the parties
 18 shall be bound by any conditions,
 19 definitions, warranties, understandings or
 20 representations with respect to such subject
 21 matter other than as expressly provided
 22 herein or as duly set forth on or subsequent
 23 to the date of acceptance hereof in writing
 24 and signed by a proper and duly authorized
 25 representative of the party to be bound

Page 202

1 thereby."
 2 Did you carefully review that clause as well?
 3 A. I did.
 4 Q. And you understood that that meant all of the
 5 terms of the agreement were set forth in the agreement
 6 alone; right?
 7 A. Yes.
 8 Q. When we were talking earlier about keeping the
 9 Dynix code confidential, you stated, both in your
 10 declaration and here, that you did not want to be
 11 bargaining away the rights to Sequent's IP. Do you
 12 recall that?
 13 A. Yes, I do.
 14 Q. AT&T telling Sequent to keep Dynix
 15 confidential when Sequent was keeping Dynix confidential
 16 was not a bargaining away of any of Sequent's IP rights,
 17 was it?
 18 MR. KAO: Objection to form.
 19 THE WITNESS: No.
 20 MR. HEISE: Q. When we talk about Dynix/ptx,
 21 just so we're clear, that arose after the Unix System V
 22 license was entered into that we've been discussing all
 23 day today; right?
 24 A. That is correct.
 25 Q. And the -- the kernel of Dynix/ptx, was that

Page 203

1 from Unix System V?
 2 MR. KAO: Objection to form.
 3 THE WITNESS: No, it was not.
 4 MR. HEISE: Q. What was the core or the basis
 5 of the Dynix/ptx operating system?
 6 MR. KAO: Objection to form.
 7 THE WITNESS: The core was a combination of
 8 the Berkeley Standard Distribution 4.2 version and code
 9 created by Sequent.
 10 MR. HEISE: Q. And are you suggesting that
 11 the only code that came from Unix System V in Dynix/ptx
 12 were the utilities?
 13 MR. KAO: Objection to form.
 14 THE WITNESS: I can't state that as an
 15 absolute. Certainly, the preponderance of the code in
 16 Dynix/ptx predates the licensing of AT&T System V.
 17 MR. HEISE: Q. But in terms of after the Unix
 18 System V license was entered into, are you suggesting
 19 that the only source code that was used from Unix
 20 System V were the utilities as they appear in Unix
 21 System V?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: No. There would have been a few
 24 system services that would have been in the kernel.
 25 MR. HEISE: Q. In reviewing Section 2.01, in

Page 204

1 particular the phrase -- or sentence:
 2 "Such right to use includes the right to
 3 modify such SOFTWARE PRODUCT and to prepare
 4 derivative works based on such SOFTWARE
 5 PRODUCT, provided the resulting materials are
 6 treated hereunder as part of the original
 7 SOFTWARE PRODUCT."
 8 Do you see where I'm reading from?
 9 A. Yes, I do.
 10 Q. If the phrase "resulting materials" is
 11 determined to mean the modifications or derivative works
 12 of Unix System V -- and for our purposes, consider that
 13 Dynix/ptx -- would you agree that Dynix/ptx would have
 14 to be maintained in confidence?
 15 MR. KAO: Objection to form.
 16 THE WITNESS: If the -- you're posing a
 17 hypothetical, that is, "resulting materials" is an -- is
 18 determined to mean any source code. Is that accurate?
 19 MR. HEISE: Q. I'm asking you if the phrase
 20 "resulting materials" is determined to include Dynix/ptx
 21 as a modification or derivative work based on Unix
 22 System V, would you agree that in that case, Dynix/ptx
 23 would be required to be maintained in confidence and
 24 could not be publicly displayed?
 25 MR. KAO: Objection to form.

DAVID P. RODGERS

Page 205

1 THE WITNESS: If, hypothetically, the
2 resulting materials was inclusive of all of the
3 Dynix/ptx source code, then yes, I would agree it would
4 have to be maintained in confidence.
5 MR. HEISE: Q. With respect to the RCS log --
6 the Revision Control System, I guess it stands for.
7 A. Yes.
8 Q. You were asked a series of questions as to
9 whether it would be helpful to have that -- or excuse
10 me -- whether it would be needed or necessary to have
11 that. Would you agree that it would be helpful to have
12 the RCS to be able to track the history of the code as
13 it appears in Dynix/ptx?
14 MR. KAO: Objection to form.
15 THE WITNESS: It would actually both be
16 helpful and confusing, because the progression of a
17 piece of software from one release to the next is a
18 series of additions and subtractions, and so you'd have
19 to know what you were looking at.
20 The real help in the RCS logs is the statement
21 of programmer intent, like "I'm adding a new module" as
22 opposed to "I'm modifying such-and-such to fix a bug" or
23 something like that.
24 MR. HEISE: Q. Well, if in determining where
25 Unix System V either source code or methods and concepts

Page 206

1 appear in Dynix, would you agree that it would be
2 necessary to have every version of Dynix/ptx from the
3 beginning until present as opposed to just the last few
4 versions?
5 A. Not --
6 MR. KAO: Objection --
7 MR. HEISE: -- of Dynix/ptx.
8 MR. KAO: Objection to form.
9 THE WITNESS: Actually, it would be simpler to
10 start with the last version and DIF it against the first
11 version. The middle versions -- and let me elaborate by
12 saying, the progression of Dynix/ptx toward the NUMA-Q,
13 N-U-M-A-Q, architecture probably resulted in the
14 subtraction of more and more System V code because it
15 was inappropriate.
16 So it would actually be confusing to go to the
17 middle releases. Starting with the beginning and the
18 end would be better.
19 MR. HEISE: Q. So at a bare minimum, to
20 undertake a complete analysis, you would need the first
21 copy and the last copy?
22 A. That would be the ideal.
23 MR. KAO: Objection to form.
24 MR. HEISE: Q. Would you agree it would be
25 impossible, in the absence of having the first copy of

Page 207

1 Dynix/ptx, to be able to see what Unix System V was
2 throughout Dynix/ptx from the beginning to the end?
3 MR. KAO: Objection to form.
4 THE WITNESS: Impossible, I don't think I'd go
5 for.
6 MR. HEISE: Q. What would you go for?
7 Extremely difficult?
8 A. It just makes it a little harder to figure
9 out, yeah.
10 Q. But if you were given the task, what you would
11 require to do it would be the first copy and the last
12 copy of Dynix/ptx --
13 MR. KAO: Objection to form.
14 MR. HEISE: Q. -- is that correct?
15 A. Actually, the first copy I was referring to in
16 that statement was the copy of the System V.2
17 distribution as delivered by AT&T pursuant to this
18 agreement.
19 Q. Okay.
20 A. And the last copy would be whatever version of
21 Dynix/ptx is the now current Dynix/ptx.
22 Q. Well, if -- using a statement you made
23 earlier, where there was addition and subtraction of
24 code, how would one be able to determine what System V
25 code was in Dynix without access to all of the versions

Page 208

1 if over time some code is put in, some code is taken
2 out?
3 MR. KAO: Objection.
4 MR. HEISE: Q. If you're only looking at the
5 last version of Dynix/ptx.
6 A. I don't think I'm tracking the question.
7 Q. Okay. Let me try and break it into a couple
8 bits then.
9 A. Okay.
10 Q. If one is to look at Dynix/ptx to locate
11 System V code, to locate System V methods and concepts,
12 et cetera, you've indicated you need to have the
13 System V release that was given to Dynix and you would
14 also want the last version of Dynix/ptx.
15 A. Correct.
16 Q. Would you also agree that to determine, over
17 time, what System V code was included in Dynix/ptx, you
18 would need to see the prior versions from the beginning
19 of Dynix/ptx until the last version of Dynix/ptx?
20 MR. KAO: Objection to form.
21 THE WITNESS: If your question is would I --
22 if I wanted to know at any instant in time --
23 MR. HEISE: Q. Exactly.
24 A. -- what System V code was in or out?
25 Yeah, I would need whatever -- the code

DAVID P. RODGERS

Page 209

1 snapshot at that instant in time. I'm having a hard
 2 time tracking the question because I'm not -- the only
 3 ones that count are the ones that were released.
 4 Q. That's really what the judge is going to
 5 decide. So I'm just trying to get from you a clear
 6 understanding of if -- just making up numbers -- if
 7 there were ten releases of Dynix/ptx, if there was
 8 System V code that was in Release No. 4 but it doesn't
 9 appear subsequently in Release No. 10, the last one --
 10 A. Mm-hmm.
 11 Q. -- I would have no way of knowing that unless
 12 I had access to Release No. 4; right?
 13 A. That's so, if you needed to know that --
 14 Q. Right.
 15 A. -- particular fact.
 16 Let me elaborate by saying, let's suppose --
 17 this is a hypothetical, but let's suppose that the
 18 developer wants to introduce a System V module to
 19 Dynix/ptx, and they just want to run an experiment:
 20 Does this thing bind? Are there any missing symbols?
 21 So they might put the code in, compile it. It throws
 22 out a million compiler errors, all these missing
 23 symbols. And then they figure out how they're going to
 24 deal with that set of missing symbols.
 25 So that's why I'm questioning the utility of

Page 210

1 looking at the interim versions. It's an experiment,
 2 not necessarily a result.
 3 Q. I understand. But it's an experiment that
 4 makes use of Unix System V?
 5 A. Sure.
 6 Q. Okay. And I would have no way of knowing what
 7 use of Unix System V occurred unless I had access to the
 8 RCS, in your example?
 9 MR. KAO: Objection to form.
 10 THE WITNESS: Well, the RCS would give you the
 11 programmer's intent, but not necessarily what was --
 12 MR. HEISE: Q. I'd need to see the code --
 13 I'm sorry. We broke the rule.
 14 I would need to see the code, not necessarily
 15 the RCS, in the example we were just discussing?
 16 A. Yes, you would need to see the code.
 17 MR. HEISE: If you give me just 30 seconds to
 18 review my notes, we might be done.
 19 As I said before, subject to our reservations,
 20 I again thank you for your time today.
 21 THE WITNESS: Thank you.
 22 MR. KAO: I just have two quick questions.
 23 MR. HEISE: Uh-oh.
 24 FURTHER EXAMINATION BY MR. KAO
 25 MR. KAO: Q. One, Mr. Heise was just asking

Page 211

1 you a series of questions where he was referring to
 2 versions of Dynix/ptx.
 3 A. Yes.
 4 Q. Did you understand him to be referring to
 5 releases of Dynix/ptx? Do you make a distinction in
 6 your mind between versions and releases?
 7 A. Actually, that was the source of my confusion.
 8 In my opinion, the things that are relevant to inclusion
 9 or noninclusion of source code are the releases, and
 10 they're -- as development proceeds, there are many, many
 11 versions.
 12 Q. What's the difference, in your mind, between a
 13 version and a release, just so I understand?
 14 A. A collection of source gets compiled one day
 15 and it might run; it might not run. It's just a point
 16 in time. And the essence of Mr. Heise's questions were:
 17 How would I determine over all time, essentially, what
 18 was the inclusion or noninclusion? And I was trying to
 19 figure out why that was an important thing to know.
 20 Q. I understand. But in responding to -- in
 21 responding to Mr. Heise's questions, I was just trying
 22 to understand what it was that you were -- you had in
 23 your mind. Were you -- were you -- were you responding
 24 as to versions or as to releases?
 25 MR. HEISE: Objection.

Page 212

1 You may answer.
 2 THE WITNESS: My response was both to versions
 3 and releases because of the confusion about whether for
 4 any moment in time, you want to know what was included
 5 or whether at specific release points, when someone
 6 outside of Sequent might have had access, you would know
 7 what was included. So I was responding to both terms.
 8 MR. KAO: Q. Okay. Is it the case that as
 9 far as Sequent was concerned, the code that was
 10 contained in a release is what is considered Dynix/ptx?
 11 A. That's accurate.
 12 Q. The only other question I have is back now to
 13 Section 2.01. Mr. Heise asked you some questions, and I
 14 just wanted to make sure I understood what you were
 15 saying. Looking at the last sentence, which says:
 16 "Such right to use includes the right to
 17 modify such SOFTWARE PRODUCT and to prepare
 18 derivative works based on such SOFTWARE
 19 PRODUCT, provided the resulting materials are
 20 treated hereunder as part of the original
 21 SOFTWARE PRODUCT."
 22 And I believe Mr. Heise asked you to assume
 23 that the words "resulting materials" are to be defined
 24 to include Dynix/ptx.
 25 A. In its entirety.

DAVID P. RODGERS

Page 213

1 Q. In its entirety.
 2 Now, if that's the case, then it was your
 3 testimony that Dynix/ptx, in its entirety, has to be
 4 treated confidentially; correct?
 5 A. That's correct.
 6 Q. Now, if you were to take out pieces of the
 7 code from Dynix/ptx that Sequent developed on its own,
 8 would Sequent still have an obligation, in your
 9 understanding of this language, to treat those materials
 10 as confidential, even assuming that the whole has to be
 11 treated confidential?
 12 MR. HEISE: Objection.
 13 You may answer.
 14 THE WITNESS: In my opinion, no. That is, if
 15 I create something independent of what ultimately
 16 becomes a derivative work, that's a separately treatable
 17 and disclosable, in this case, item when it becomes a
 18 part of the derivative work. The entirety of the
 19 derivative work is the thing that's bound by the
 20 confidentiality.
 21 MR. KAO: Q. Under the assumption that
 22 Mr. Heise --
 23 A. Under the assumption that it was so
 24 determined.
 25 Q. So even under that assumption, Sequent would

Page 214

1 still have the right to pull materials out of Dynix/ptx
 2 and disclose those materials as it chose to?
 3 MR. HEISE: Objection.
 4 You may answer.
 5 THE WITNESS: That would be my opinion.
 6 MR. KAO: That's all I have.
 7 MR. HEISE: A couple of quick follow-ups and
 8 we will hopefully be done.
 9 FURTHER EXAMINATION BY MR. HEISE
 10 MR. HEISE: Q. When we were talking earlier
 11 about seeing what System V code appeared in Dynix/ptx at
 12 any moment in time, that is when we would need to have
 13 access to all the versions as opposed to the final
 14 releases. Is that a correct statement?
 15 A. Yeah. If it were important to know on any
 16 given day, yes.
 17 Q. Do you know whether the contributions of
 18 Dynix/ptx that went to Linux came from Dynix/ptx as the
 19 whole or if they came from the separate place where they
 20 were independently developed and incorporated into
 21 Dynix/ptx?
 22 MR. KAO: Objection to form.
 23 THE WITNESS: I don't know.
 24 MR. HEISE: Again, subject to the
 25 reservations, I thank you for your time today.

Page 215

1 MR. KAO: I don't have any follow-up.
 2 THE VIDEOGRAPHER: Here marks --
 3 MR. KAO: Just one more question.
 4 THE VIDEOGRAPHER: Here marks the end of Tape
 5 No. 3 in the deposition of David Rodgers.
 6 The original videotapes will be retained by
 7 LegalLink New York at 420 Lexington Ave., Nos. 2108 and
 8 2112, New York, New York.
 9 Going off the record. The time is 3:04.
 10 (Whereupon, the deposition was adjourned at
 11 3:04 p.m.)
 12 --oOo--
 13 I declare under penalty of perjury the
 14 foregoing is true and correct. Subscribed at
 15 _____, California, this ____ day of
 16 _____, 2004.
 17 _____
 18 David P. Rodgers
 19
 20
 21
 22
 23
 24
 25

Page 216

1 CERTIFICATE OF REPORTER
 2 I, ANA M. DUB, a Certified Shorthand Reporter,
 3 Registered Merit Reporter, and Certified Realtime
 4 Reporter, hereby certify that the witness in the
 5 foregoing deposition was by me duly sworn to tell the
 6 truth, the whole truth, and nothing but the truth in the
 7 within-entitled cause;
 8 That said deposition was taken down in
 9 shorthand by me, a disinterested person, at the time and
 10 place therein stated, and that the testimony of the said
 11 witness was thereafter reduced to typewriting, by
 12 computer, under my direction and supervision;
 13 That before completion of the deposition,
 14 review of the transcript [] was [X] was not requested.
 15 If requested, any changes made by the deponent (and
 16 provided to the reporter) during the period allowed are
 17 appended hereto.
 18 I further certify that I am not of counsel or
 19 attorney for either or any of the parties to the said
 20 deposition, nor in any way interested in the event of
 21 this cause, and that I am not related to any of the
 22 parties thereto.
 23 DATED: June 14, 2004.
 24 _____
 25 ANA M. DUB, RMR, CRR, CSR No. 7445